

GARFUNKEL, WILD & TRAVIS, P.C.

ATTORNEYS AT LAW

411 HACKENSACK AVENUE • HACKENSACK, NEW JERSEY 07601
TEL (201) 883-1030 • FAX (201) 883-1031

ROBERT ANDREW WILD *
FREDRICK I. MILLER *
JUDITH A. EISEN *
LEONARD M. ROSENBERG *
JEFFREY S. BROWN **†
ANDREW E. BLUSTEIN **†
BURTON S. WESTON *
DAVID J. BIEHL *
MICHAEL J. KEANE **
HAYDEN S. WOOL *
GREG E. BLOOM **
ROY W. BREITENBACH **
LOURDES MARTINEZ **
STEVEN R. ANTICO **
JEFFRY ADEST **
SUZANNE M. AVENA *
STEVEN J. CHANANIE *
PHILIP C. CHRONAKIS **
PETER ARMSTRONG EGAN **
B. SCOTT HIGGINS *
PETER M. HOFFMAN *

EVE GREEN KOOPERSMITH *
SEAN P. LEYDEN **
PETER B. MANCINO *
DORIS L. MARTIN *
JOHN G. MARTIN *
PATRICK J. MONAHAN II *
RAYMOND P. MULRY **
ALAN H. PERZLEY **
GREGG D. REISMAN *
TERENCE A. RUSSO *
ANDREW J. SCHULSON *
DEBRA A. SILVERMAN *
CHRISTINA VAN VORT *
ANDREW L. ZWERLING *

OF COUNSEL
GEORGE M. GARFUNKEL *
NORTON L. TRAVIS *
DAVID E. STECKLER *
STUART M. HOCHRON, M.D. †

DANIEL ALLIANCE *
MICHAEL M. BARUCH *
JOHN BECKER *
GRANT CARTWRIGHT **
WILHELMINA A. DE HARDER *
ROBERT A. DEL GIORNO *
LUCIA F. DENG *
REBECCA A. EDELMAN LEVY **
THEREBA A. EHLE **
JACQUELINE H. FINNEGAN *
JORDAN M. FREUNDLICH *
RANDI E. FRIEDMAN **
NICOLE F. GADE **
STACEY L. GULICK **
ERIN L. HENDERSON **
JASON Y. HSI *
KIMBERLY KEMPTON-SERRA **
MELISSA S. KUBIT **
SHILPA PATEL LARSON **
LAUREN M. LEVINE **
DANIEL MEIER **
ALLISON B. MELTZER *

SALVATORE PUCCIO *
COURTNEY A. ROGERS *
MOLLY M. RUSH *
MICHELLE LEWIS SALZMAN *
JASON C. SCOTT *
PETER G. SIACHOS **
GREGORY R. SMITH *
COLLEEN M. TARPEY *
JUSTIN M. VOGEL *
CAROLINE P. WALLITT *
ALICIA M. WILSON *

SENIOR ATTORNEYS
LARA JEAN ANCONA *
KEVIN G. DONOGHUE *
LAURIE BORGERDING JOHNSON *
BARBARA D. KNOTHE **
JOHN P. KRALJIC *
MARIANNE MONROY **
KAREN L. RODGERS *
ROBERT E. SCHILLER *
APSHREEN A. SHAH *

FILE NO.: 70236.0081
REPLY TO: New Jersey

WRITER'S EMAIL: rlevy@gwtlaw.com
WRITER'S DIRECT DIAL: (201) 883-1030

* LICENSED IN NEW YORK
† LICENSED IN NEW JERSEY
LICENSED IN CONNECTICUT
† RESPONSIBLE PARTNERS FOR
NEW JERSEY OFFICE

By FedEx

March 16, 2009


Michael J. Keating, Esq.
Dughi & Hewit
340 North Avenue
Cranford, New Jersey 07016

**Re: Betancourt v. Trinitas Hospital
Docket No. UNN-C 12-09**

Dear Mr. Keating:

Enclosed please find transcripts of the Order to Show Cause Hearings held on January 22, February 17, and February 23, 2009, in this matter.

Very truly yours,


Rebecca A. Edelman Levy

RAL:jed
Enclosures
cc: Sam Germana, Esq. (Via First Class Mail)(enclosures)

NEW YORK

NEW JERSEY

CONNECTICUT



SUPERIOR COURT OF NEW JERSEY
CHANCERY DIVISION
GENERAL EQUITY PART
UNION COUNTY, NEW JERSEY
DOCKET NO. UNN-C-12-09

BETANCOURT)

Plaintiff)

vs.)

TRINITAS HOSPITAL)

Defendant)

TRANSCRIPT

OF

ORDER TO SHOW CAUSE

HEARING

Place: Union County Courthouse
Two Broad Street
Elizabeth, New Jersey 07207

Date: January 22, 2009

BEFORE THE HONORABLE JOHN MALONE, J.S.C.

TRANSCRIPT ORDERED BY:

REBECCA A. EDELMAN LEVY, ESQ. (Garfunkel Wild & Travis)

APPEARANCES:

JAMES MARTIN, ESQ. AND TODD DRAYTON, ESQ.
Attorneys for the Plaintiffs, Betancourt Family

PHIL CHRONAKIS, ESQ. AND REBECCA A. EDELMAN LEVY,
ESQ. (Garfunkel Wild & Travis)
Attorneys for the Defendant, Trinitas Hospital

A

LYNN COHEN-MOORE
AUTOMATED TRANSCRIPTION SERVICES

P.O. Box 2230

Laurel Springs, New Jersey

(856) 784-4276

I N D E X

January 22, 2009

ARGUMENT

By: Mr. Martin 4,9,18
By: Mr. Chronakis 6,11,20
By: Ms. Levy 14

THE COURT

PAGE

Decision 22

1 THE COURT: This is the matter of Betancourt
2 v. Trinitas Hospital. The Docket is C-12-09.

3 Counsels, may I have your appearance for the
4 record, please. We'll start here to my right.

5 MR. MARTIN: Good afternoon, Judge. James
6 Martin and Todd Drayton on behalf of the Betancourts.

7 THE COURT: Uh hum.

8 MR. DRAYDEN: Good afternoon, Your Honor.

9 THE COURT: Uh hum.

10 MR. CHRONAKIS: Good afternoon, Your Honor.
11 Phil Chronakis and Rebecca Levy from Garfunkel Wild &
12 Travis on behalf of Trinitas Hospital.

13 THE COURT: All right, this is an application
14 for a temporary restraining order in connection with
15 this matter, brought the plaintiff, a daughter of
16 Reuben Betancourt who is a patient at Trinitas
17 Hospital.

18 The plaintiff seeks in this temporary
19 restraining -- in this Order To Show Cause that the
20 court enter an order that would require the hospital to
21 show cause why it should not be enjoined from
22 terminating or discontinuing treatment for Mr.
23 Betancourt, also seeks the appointment of a
24 guardian.

25 And the purpose of today's order is to

1 consider the plaintiff's application that pending
2 further proceedings in connection with this matter, --
3 that the court enter a temporary restraining order.
4 That temporary restraining order would restrain the
5 hospital from terminating or discontinuing life support
6 treatment for Mr. Betancourt.

7 I guess the first question that I have, Mr.
8 Martin is what is Mr. Betancourt's current condition
9 and what treatment is he receiving?

10 MR. MARTIN: Judge, I have to tell you that I
11 have been involved in this matter now for all of three
12 days or so. So I have no medical records, nor do I
13 have much medical information --

14 THE COURT: That was another concern I was
15 going to express, but I just wanted to know what you,
16 what you did know now.

17 MR. MARTIN: My understanding in speaking
18 with the family that as a consequence of a, -- one year
19 ago today, coincidentally, Mr. Betancourt had surgery
20 at Trinitas Hospital.

21 As a consequence of that surgery, something
22 occurred in recovery where he was, needed oxygen for
23 some period of time, lapsed into a coma, and my
24 understanding is that he has not responded or come out
25 of that as yet.

1 How this fits in sequentially, I don't know,
2 but he's also experienced renal failure.

3 So he is currently on a ventilator as I
4 understand it and also receiving dialysis treatment for
5 the, with the ventilator.

6 The family understands in speaking fairly and
7 formally with one or more of the doctors that the
8 intention of the hospital was to discontinue the
9 dialysis.

10 My understanding medically is the consequence
11 of that is that gentleman's potassium level will
12 increase. Eventually his kidneys will shut
13 down, leading to multi-organ system failure and
14 ultimately death.

15 THE COURT: Uh hum.

16 MR. MARTIN: We are here today to ask that
17 the hospital be restrained from taking that action
18 until such time as we could have a hearing.

19 I was informed this afternoon that that
20 action has already been taken.

21 So I guess I need to amend my petition and
22 ask the court if it views us favorably, to order the
23 hospital to re-institute that treatment which he should
24 have received today and was denied.

25 THE COURT: Uh hum.

1 MR. MARTIN: Again, my understanding is that
2 the proposed action is to discontinue and not re-
3 institute any of the dialysis treatments.

4 THE COURT: Okay.

5 I think perhaps I'm not sure what's your view
6 on behalf of the hospital. Is that, -- Mr. Martin's
7 understanding accurate as to the current treatment?
8 Has treatment and/or dialysis or ventilator been
9 discontinued? Is that the situation at the
10 moment?

11 MR. CHRONAKIS: Judge, Mr. Martin is
12 accurate up until Tuesday, and he's aware that there's
13 been a change since then.

14 As of Tuesday, the hospital discontinued Mr.
15 Betancourt's dialysis which additionally involved the
16 removal of essentially of a tube from a port, the
17 reinsertion of which would require a surgical procedure
18 to continue the dialysis treatment.

19 But so that the court's aware importantly
20 and had Mr. Betancourt's dialysis continued from
21 Tuesday, his next treatment so to speak would have been
22 today.

23 So essentially over the last two days, Mr.
24 Betancourt has not, not received any dialysis treatment
25 that he otherwise would have, but the hospital has

1 taken the step based on the judgment of the physicians
2 treating Mr. Betancourt to remove the port and to
3 discontinue dialysis.

4 MR. MARTIN: Judge, may I res... --

5 THE COURT: And I've had the opportunity to
6 read the papers that were submitted by both sides, and
7 I do understand that Trinitas is taking -- it is their
8 position of the medical staff at Trinitas that the, any
9 further treatment is not warranted in Mr. Betancourt's
10 case, that the continuation of treatment, given his
11 medical condition, his status, would be futile.

12 And it is the hospital's staff position, the
13 treating physician, that any -- that the treatment is
14 simply medically inappropriate.

15 MR. CHRONAKIS: Judge, if I might.

16 And that's certainly essentially the
17 hospital's position except for this I think significant
18 point, especially with Mr. Betancourt's family here.

19 A lot of the staff at the hospital asked me
20 to make sure the court understood something which
21 doesn't really come through in the papers, which is it
22 is not the case that the physicians at Trinitas are
23 saying this is futile or this is unnecessary as much as
24 it is their belief, as much as they obviously want Mr.
25 Betancourt to improve if he could, and as I know the

1 family certainly does, it is their belief that
2 continuing dialysis and continuing other life support
3 treatment is actually harming Mr. Betancourt's body.
4 It is causing his dignity to suffer, to the extent that
5 the court would recognize a public interest in dignity
6 when death is inevitable.

7 It is their professional judgment that Mr.
8 Betancourt cannot improve from his current condition,
9 and we're addressing questions of quality of life, and
10 the judgment in medical practice.

11 So it is not a matter of saying this isn't
12 going to change the outcome. It is a very difficult
13 but unfortunate matter that Mr. Betancourt's body is
14 deteriorating and suffering and that continued life
15 support as counterintuitive as this might sound to the
16 court, is having at least an immediate harm on other
17 interests of Mr. Betancourt that the hospital and the
18 physicians are trained to address and are reluctant to
19 put it gently to continue that course of treatment over
20 their medical training and judgment.

21 THE COURT: Okay.

22 Mr. Martin, you did touch upon and that is,
23 and I appreciate the short period of time that you've
24 had to deal with the matter and not having in hand
25 medical records puts you at somewhat of a

1 disadvantage.

2 I guess a concern that I have, though, is the
3 application here is not supported by any medical
4 certifications. It is supported by a family member who
5 is not a medical expert.

6 I can certainly understand the position being
7 taken by the family, but there is no supporting medical
8 evidence for this application.

9 MR. MARTIN: Judge, there's been little or no
10 opportunity to obtain -- Judge, all of the physicians
11 that have been involved in his care as I understand it
12 are staff physicians at Trinitas.

13 The family has identified at least one
14 physician who may be willing to intercede. He'd have
15 to, I guess I don't know the procedure, obtain
16 permission to come onto the Trinitas property because
17 he's not on staff there and he doesn't have
18 privileges.

19 But that's part of what we would like the
20 restraining order to allow us to accomplish.

21 I met these folks this afternoon so I've had
22 no prior contact with them but I did speak to an
23 attorney from the hospital yesterday who assured me
24 that no actions were going to be taken pending this
25 hearing.

1 I'm hearing now for the first time that
2 dialysis has, in fact, been stopped, and that in order
3 to re-institute it, there's some portal that has been
4 removed that requires surgical implantation.

5 My understanding was that was not going to be
6 done.

7 THE COURT: Uh hum.

8 MR. MARTIN: -- until we had an opportunity
9 to air our differences here.

10 Judge, as -- I mean I understand the -- I
11 haven't had a chance to digest these affidavits, but I
12 understand the position of the physicians. The court
13 needs to understand the position of the family.

14 They contend that--this gentleman, their
15 husband, their father, is indeed responsive.

16 He's not on and off with responses, that is
17 being suggested to the court, that he does respond to
18 certain stimuli.

19 They would like an opportunity for some
20 physician at the very least to come in and have the
21 opportunity to confirm that or refute it.

22 They also believe whether this is -- they
23 will suggest to Your Honor at a hearing that there may
24 be other motivations beyond this myth, frankly, some
25 economic motivation.

1 There is a sizable medical bill that remains
2 unpaid. They are not people of means, and they
3 question -- they question whether or not that's the
4 true motivation as opposed to some medical motivation
5 for the reactions that are being suggested.

6 That's something I would suppose we'll air in
7 a hearing, but all they're asking at this point, is
8 that necessary treatment not be suspended or terminated
9 until such time as we have an opportunity to get our
10 act together, if you will, to get a medical affidavit
11 if we can and prepare for, you know, where the
12 arguments of the hospital are.

13 But to leave this decision in the hands of
14 the hospital seems to me to be a terrible precedent for
15 this court or any other.

16 THE COURT: All right, thank you, sir.
17 Counsel.

18 MR. CHRONAKIS: Judge, just to address a
19 couple of things that Mr. Martin said and to clarify
20 the record.

21 No action was taken by the hospital
22 subsequent to the filing of the Betancourt's family's
23 papers which I understand came here in yesterday
24 morning or Tuesday night.

25 There was action taken early in the day

1 Tuesday at the hospital, and certainly not in response
2 to the prospect of a lawsuit, which I don't know the
3 hospital's aware of.

4 Judge, regarding the financial motivation, I
5 can assure you having represented Trinitas Hospital for
6 the last nine years at two law firms, that serving an
7 underserved population as Trinitas does here in
8 Elizabeth, there are many cases, countless cases, in
9 which Trinitas provides healthcare services regardless
10 of the financial outcome, and Your Honor must know
11 this.

12 And certainly in Mr. Betancourt's case,
13 that's no different.

14 You have affidavits from three physicians,
15 none of whom are addressing anything -- none of whom
16 would be allowed to as licensed professionals, address
17 anything that equates what the proper course of
18 treatment is with what the financial outcome to their
19 hospital is.

20 The motivation that these physicians have
21 from my dealings with them is that they uniformly
22 believe that Mr. Betancourt unfortunately will not
23 improve from his current position. And the
24 responsiveness to stimuli does not contradict that
25 fact, Judge.

1 There is medical evidence and it's addressed
2 in the certifications that certain patients have
3 limited reaction to stimuli, especially their eyes will
4 move in response to light. That has nothing to do with
5 the level of brain activity, the ability to be
6 conscious again. And as you're aware, Mr. Betancourt,
7 from reading the certifications, he doesn't respond to
8 pain or the more immediate stimuli.

9 So what we have here, Judge, as I indicated
10 earlier, is the situation in which unfortunately where
11 I can't, Ms. Levy can't, these good attorneys can't,
12 Mr. Betancourt's family unfortunately can't, you can't,
13 assess or even order that somebody's medical condition
14 be viewed as this or that.

15 Unfortunately for a number of months before
16 counsel came on the case, Mr. Betancourt's family was
17 given an opportunity to have another physician assess
18 his condition, but I don't know that this needs to
19 become a battle of the experts, so to speak, when you
20 have the un-contradicted, over a number of months, but
21 now before Your Honor, medical testimony.'

22 The only people who do know are saying that
23 this situation, unfortunately, is not going to improve
24 but it can get worse in terms of Mr. Betancourt's
25 dignity and his internal suffering if the court orders,

1 and Judge, I hope -- I know you're aware, but I want to
2 emphasize for the record, we don't have a situation
3 where Your Honor would be issuing a restraint against
4 the hospital's actions at this point.

5 Although some life support continues, it
6 would the situation in which Your Honor was issuing
7 affirmative injunction, and there is a line of
8 authority suggesting that a court should not substitute
9 it's own judgment over the judgment of medical
10 professionals on healthcare issues, that is, to force
11 them to follow a course of conduct that contradicts
12 their medical training and their medical ethics.

13 And I would, if the court would allow, Ms.
14 Levy could address more specific facts and regulations
15 and authority that the court might consider when
16 addressing this application.

17 THE COURT: Ms. Levy.

18 MS. LEVY: I think I also just want to
19 address a little bit more on the certifications.

20 There were four certifications submitted to
21 the court, one from a neurologist, one from a
22 nephrologist, one from the attending physician and also
23 one from the Medical Director of the hospital.

24 Each one of these people say the continued
25 treatment of this patient is medically and ethically

1 inappropriate. They say it is not within the standard
2 of care. In fact, two of the physicians go so far as
3 to categorize any continued treatment as inhumane.

4 You know, you saw the papers. The doctors
5 describe the patient's condition. It's not just being
6 on a vent. He is septic. He has ulcers on his bone.
7 This is not -- he's in very bad shape.

8 Unfortunately, there are not cases in New
9 Jersey that address the issue exactly on point that
10 deal with whether physicians should be required to
11 provide surgeries that are against their standard of
12 care.

13 There was a case, however, that I cited in
14 our papers in Louisiana. It was an Appellate Court,
15 court case, and actually it cites Quinn language which
16 we're all familiar with, a New Jersey case, and the
17 facts are actually very similar.

18 Although the patient there was only 31, the
19 patient was comatose with end stage renal failure.

20 And in that case, the physicians decided to
21 remove the dialysis and to take out the ventilator and
22 the patient passed away.

23 What followed was the court's review of what
24 happened, and in that case the court emphasizes the
25 importance of acknowledging the standard of care in

1 that particular case, and if I may I'd like to read
2 what the court held or what the court said.

3 The court said,

4 "Physicians are professionals and occupy a
5 special place in our community. They're licensed by
6 society to perform this special role. No one else is
7 permitted to use life prolonging technology which is
8 considered by many as fundamental health care.

9 The physician has an obligation to present
10 all medically acceptable treatment options for the
11 patient or her surrogate to consider and either choose
12 or reject.

13 However, this does not compel a physician to
14 provide intervention that in his view would be harmful
15 without affect or medically inappropriate."

16 We have four treating physicians here all who
17 say this treatment is medically inappropriate.

18 In addition, the American Medical Association
19 The Council on Ethical and Judicial Affairs did publish
20 a report entitled 'Medical Futility and End of Life
21 Care.' And this report discusses the complex issues in
22 dealing with futility.

23 In fact, I looked for a definition of
24 futility and it's quite hard to find because it's such
25 a value, based on values, and it's really impossible to

1 find a definition.

2 But what this report does is in an effort to
3 avoid judicial intervention, it talks about steps that
4 a hospital should take when these situations occur
5 where a family disagrees and is pushing medical
6 personnel to perform medical procedures that the
7 doctors believe is not within the standard of care.
8 And these steps include, and I would like to say that
9 Trinitas has done all the steps.

10 They've met with the family. They've
11 attempted to transfer to a facility where another
12 physician would care, care for this patient.

13 The problem is that, the physicians, and I
14 spoke to them, they find it very hard to believe that a
15 physician would take this case at this point. And the
16 family has had time to look for a transfer or another
17 physician.

18 They've had Ethics Committee meetings.
19 They've had other meetings with hospital personnel.

20 At the end of the report, it says, if you've
21 tried all these steps and there's still a conflict, it
22 says, and I quote, "the intervention will not be
23 offered." And that's, and that's where we're at right
24 now.

25 MR. MARTIN: Judge, with all due respect. I

1 don't know that group is, but who are they to make that
2 decision? And I, I mean I didn't come here to argue
3 the merits, but to just touch upon a response to
4 that.

5 Well let me start at the beginning.

6 First of all, in response to one of Phil's
7 comments, I didn't have this conversation with the
8 hospital lawyer yesterday as I was filing the papers.
9 I had it with her on Friday and Monday long before this
10 action was taken on Tuesday.

11 So the court shouldn't find itself in the
12 position now and have to order some affirmative act
13 that should have never been acted upon, the removal of
14 this port, et cetera.

15 I had a conversation with a gentleman, I want
16 to say his name was Samuel Germana, Germana, and the
17 last conversation was Monday.

18 And I realized that we had time constraints
19 and so on. I told him that I would meet with the
20 family one more time, and that if we intended to file
21 this action, I would let him know and I did, in fact,
22 let him know. And then before we ever came here
23 yesterday, I faxed him copies of all of the papers that
24 we proposed to present to Your Honor.

25 So finding yourself in a position now where

1 you have to order something affirmative should never,
2 ever have happened.

3 Insofar as, you know, the medical motivation
4 behind this, how would the doctor -- unless the
5 economics were considered by this committee, how would
6 that doctor who eventually reported to one of the, one
7 of children of the Betancourt family had known that
8 there's a 1.6 million dollar hospital bill outstanding?
9 How would he know that unless that was discussed in
10 that, in that hearing or that meeting that they
11 had?

12 But the fact of the matter is these are the
13 people that know best.

14 They've lived with this man, they've
15 maintained a visual for a year. It's a year to day.
16 And who is the hospital to decide that his life should
17 be terminated?

18 We're not asking for the institution of
19 treatment. We're asking that he be maintained on the
20 treatment he's received.

21 And what you see happening here; I've seen
22 all the seminars and the video clips on how we're going
23 to handle these matters. The New Jersey Supreme Court
24 in particular has always chosen to air of the side of
25 the patient.

1 What's happening now is the medical community
2 is trying to conjure a way to combat that.

3 So instead of saying we're maintaining
4 treatment and we're terminating treatment that sustains
5 life, now we're going to argue that what the court is
6 really doing is forcing us to offer treatment that we
7 shouldn't have to offer. That's just the same horse by
8 a different color.

9 All we're asking this court to do is to let
10 the man live long enough to conduct a hearing to decide
11 whether or not they have a right to kill him, and
12 that's what this is all about.

13 MR. CHRONAKIS: Judge. Certainly I can
14 appreciate this is a difficult argument because of how
15 sensitive this is and if it's, if at all for me, it's
16 undoubtedly you know, agonizing for a family to sit
17 here.

18 If this were my dad or my grandfather or my
19 spouse, I wouldn't be able to maintain the decorum that
20 Mr. Betancourt's family is, and there have been a lot
21 of difficult decisions in how to approach this even
22 over the last 48 hours.

23 But I have to take issue with the suggestion
24 that what Trinitas Hospital is doing is trying to, you
25 know, hasten or harm Mr. Betancourt or, you know,

1 unless that's some of the language used by Mr. Miller,
2 it's these physicians have cared for Mr. Betancourt as
3 best they know how as he approaches, you know, as he's
4 in this end of life stage which is difficult for
5 anybody. And they are the only ones among us who can
6 assess with any medical expertise what is happening to
7 Mr. Betancourt.

8 I would want instinctively any relative of
9 mine to stay alive at all costs.

10 That is not the only interest before the
11 court, and that is actually not the only interest as to
12 Mr. Betancourt when you have medical professionals
13 swearing before the court that the continuation of life
14 support as much as that might seem paramount to every
15 other consideration is doing active harm to Mr.
16 Betancourt's organs and to Mr. Betancourt's dignity.
17 And certainly those are interests that the family has
18 as well.

19 I only want to reemphasize one point in
20 response specifically to something that was said which
21 is certainly physicians may be aware of a financial
22 bill.

23 I am sure, and I'm up here advocating on
24 behalf of the client, that no physician at this
25 hospital and no physician that I know would change his

1 or her medical judgment depending on the bill.

2 It's easy enough to understand that given
3 that attorneys generally would not do that, an
4 attorney's bill make people live or die, they just
5 affect people's fortune sometimes and you still
6 wouldn't give different advice to a client depending on
7 if she owed you \$1,000 versus \$100,000. But
8 physicians, you know, as Ms. Levy pointed out, they're
9 the only members of society who really can sustain life
10 or make decisions regarding life.

11 This isn't a judgment based on economics,
12 Your Honor. It is a judgment based on what is
13 happening to Mr. Betancourt and the medical training
14 and education and expertise that these physicians alone
15 among the parties have.

16 Thank you, Judge.

17 THE COURT: Okay.

18 The issue before the court at this moment is
19 whether the defendant hospital should be required to
20 reinstate the provision of medical care, namely,
21 dialysis pending some further proceedings in connection
22 with this matter.

23 Whatever understandings might have been, that
24 is, the state of facts at the moment, there is medical
25 care that has been not provided in the normal

1 course.

2 It would have been, I guess, today would have
3 been the day for dialysis.

4 It is the opinion of the treating physicians
5 as expressed in the opposition papers that medical care
6 is futile. In fact, the provision of it would be
7 harmful and thus violate the standard of care under
8 which the physicians must operate.

9 The issue before the court is not one that is
10 the subject of reported decisions in this case.

11 The reported decisions in the case, namely
12 the Conroy case, In Re: Conroy, indicate that the right
13 to make medical decisions in the case of an
14 incapacitated person rests with the guardian or the
15 next of kin.

16 Here, the next of kin has made a decision,
17 notwithstanding the medical advice that they have
18 received, that treatment should be continued.

19 What the court is being urged to do by the
20 hospital is to override that choice, the choice made by
21 the next of kin.

22 On the basis that as I pointed out treatment
23 is medically inappropriate, it is against the standard
24 of care, it is harmful to the patient. For the court
25 to answer the question ultimately as to what needs to

1 be done, the court needs to be able to determine if
2 those answers given by the hospital that treatment is
3 inappropriate against the standard of care and harmful
4 is accurate.

5 What I'm presented with by the moving party,
6 the party that has the burden of proof here, is an
7 expression of a belief that the hospital's position
8 expressed through the physicians is incorrect.

9 It is the belief of the family that treatment
10 is appropriate. It is the belief of the family that
11 treatment would not be harmful. It is their belief
12 that physicians could satisfy and meet their standard
13 of care by providing treatment in this situation.

14 I'm also mindful of that line of cases which
15 talk about mandatory injunctive relief requiring a
16 party to do something is to be reserved for extreme
17 situations. It is rare that a court using the
18 temporary restraining order procedure should be
19 directing affirmative relief.

20 Having said all of that, the court's faced
21 with a situation that there is no ability, no
22 opportunity provided to the court to wait and
23 see.

24 This is an extreme situation. Certainly
25 those standards of Crowe v. DeGioia that talk about

1 irreparable harm and balancing of hardships weigh very
2 heavily in favor of the plaintiff.

3 The difficulty that the plaintiff faces by
4 way of proof is that standard in Crowe v. DeGioia that
5 talks about the settled legal right and reasonable
6 probability of success on the merits.

7 That's not been presented to the court but
8 the circumstances here are, they're extreme.

9 Mr. Betancourt would have been due for
10 dialysis today as I understand it. That has not been
11 provided based on the medical decision reached by the
12 hospital. Any inaction on the part of the court I fear
13 would be, in and of itself, a decision against the
14 interest of the Betancourt family.

15 I think we need to move and we need to move
16 quickly but something needs to be done in the meantime
17 to get us to what was the status quo a few days
18 ago.

19 I'm going to grant the request for the
20 temporary relief.

21 I am going to reestablish the status quo and
22 require the hospital to resume the treatment that was
23 being provided, the level of treatment that was being
24 provided at the beginning of this week.

25 I'm also -- I don't think we're in a position

1 now where I'm going to be setting this matter down for
2 a hearing on the application for maintaining the
3 injunction until the plaintiff provides further
4 information.

5 So while the order will be in place, I want
6 to give the plaintiff an opportunity to provide more
7 information, obtain physician certification that
8 support the position that the treatment should be
9 continued, sort of join the issue, so to speak.

10 Get us something from a doctor that indicates
11 that the belief expressed by the Betancourt family that
12 treatment should be continued, that it's appropriate,
13 not harmful, satisfies the standard of care, is in fact
14 true.

15 With that in hand, I think then I'll be in a
16 better position to address an appropriate time period
17 to set this matter down for a hearing on whether or not
18 to continue the restraint.

19 I would like, Mr. Martin, to see that, to
20 have that information within a week.

21 I would like counsel to return here in a week
22 so that we can all then with that information in hand
23 discuss again, so to speak, the continuation, the
24 appropriateness of continuing the restraints, and
25 setting the matter down for a further proceeding.

1 So I'm going to grant the temporary restraint
2 with the direction that the plaintiff provide
3 supporting information from a physician or physicians
4 with respect to their position and ask that counsel
5 return here a week from today, next Friday, two
6 o'clock, and we'll see where we go from there.

7 MR. MARTIN: Can I ask one additional
8 consideration?

9 THE COURT: Yeah.

10 MR. MARTIN: I don't know how much, if not
11 all of the record will be necessary for a physician's
12 review. But in my experience, if I were to request a
13 copy of the hospital record, it's going to
14 understandably take time.

15 Is there some way that we could suggest that
16 it be expedited?

17 THE COURT: It probably wouldn't hurt to have
18 it.

19 Can records be made available to plaintiff's
20 physician for review?

21 MR. CHRONAKIS: Certainly if you order that,
22 Judge.

23 THE COURT: They'll be -- then they'll be
24 available.

25 MR. MARTIN: Thank you, Judge.

1 MR. CHRONAKIS: Judge, in the interest of
2 candor, and so that your order can be complete, it's my
3 understanding that there is an DNR issued by the
4 hospital or not issued but directed by the hospital.

5 MS. LEVY: By the doctor.

6 On January 14, the physician, Dr. Millman,
7 entered a DNR order on the patient's medical records.
8 So there's been some question about whether they should
9 continue with the DNR or whether that should also be
10 removed.

11 THE COURT: That should be removed for this
12 week while we're operating under this temporary
13 restraining order.

14 MS. LEVY: Thank you.

15 THE COURT: Mr. Martin, you think perhaps you
16 can craft an order? Get that to me. I'll enter it as
17 soon as I get it and fax it right back to you.

18 So you're going to fax it in and I'll fax it
19 back, and I think counsel understand what the order
20 will be. So as soon as you get it to me, I'll get back
21 to you.

22 MR. MARTIN: Will do, Judge. Thank you.

23 THE COURT: Okay.

24 All right, thank you everyone. See you next
25 week.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. MARTIN: Thank you, Judge.

MR. CHRONAKIS: Thank you, Your Honor.

* * *

(Whereupon, proceedings of 1-22-09 concluded)

* * *

CERTIFICATION1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I, Lynn Cohen-Moore, the assigned transcriber, do hereby certify that the foregoing transcript of proceedings in the matter of BETANCOURT V TRINITAS HOSPITAL, heard in the Union County Superior Court, Chancery Division, General Equity Part, on January 22, 2009, Tape dated same date, Index Number 15:08:43 to 15:42:08, is prepared in full compliance with the current Transcript Format for Judicial Proceedings and is a true and accurate non-compressed transcript of the proceedings as recorded.

AUTOMATED TRANSCRIPTION SERVICES

BY: Lynn Cohen-Moore

Lynn Cohen-Moore

A.O.C. #368

Dated: March 11, 2009

SUPERIOR COURT OF NEW JERSEY
CHANCERY DIVISION
GENERAL EUIY PART
UNION COUNTY, NEW JERSEY
DOCKET NO. UNN-C-12-09

BETANCOURT)

Plaintiff)

vs.)

TRINITAS HOSPITAL)

Defendant)

TRANSCRIPT

OF

HEARING

Place: Union County Courthouse
Two Broad Street
Elizabeth, New Jersey 07207

Date: February 17, 2009

BEFORE

THE HONORABLE JOHN MALONE, J.S.C.

TRANSCRIPT ORDERED BY:

REBECCA A. EDELMAN LEVY, ESQ. (Garfunkel Wild & Travis)

APPEARANCES:

JAMES MARTIN, ESQ. AND TODD DRAYTON, ESQ.
Attorneys for the Plaintiffs, Betancourt Family

PHIL CHRONAKIS, ESQ., REBECCA A. EDELMAN LEVY, ESQ.
AND SAM GERMANA, ESQ. (Garfunkel Wild & Travis)
Attorneys for the Defendant, Trinitas Hospital

A

LYNN COHEN-MOORE
AUTOMATED TRANSCRIPTION SERVICES

P.O. Box 2230
Laurel Springs, New Jersey
(856) 784-4276

1 MR. MARTIN: Judge, just by way of
2 organization.

3 THE COURT: Okay.

4 MR. MARTIN: So we'll get some direction from
5 Your Honor, I suppose.

6 I have one physician, Dr. Goldstein, who as
7 you suggested last time we were here, would be allowed
8 to testify by phone. I have him ready anytime after
9 about 10:30.

10 THE COURT: Okay.

11 MR. MARTIN: I have family members who are
12 here today and prepared.

13 Phil mentioned that perhaps there's some
14 doctors that need to get back to the hospital, so we'll
15 need to do them first.

16 THE COURT: We had also mentioned that we'd
17 be taking witnesses out of order to accommodate
18 people's active schedules, so --

19 MR. CHRONAKIS: Of course, Your Honor. And
20 we have Drs. Millman and McHugh available to testify.
21 There's one other housekeeping note that I mentioned to
22 Mr. Martin which is Dr. Khazaei who is Mr. Betancourt's
23 nephrologist and therefore key to the dialysis issues
24 is out of the country today, and she will be back
25 tomorrow afternoon.

1 We were going to ask the court's permission
2 just for her testimony since it is essential to the
3 hospital's position, if the court would continue this
4 hearing possibly on Thursday morning just for, just for
5 her testimony if that works with Your Honor and if that
6 works with counsel or as quickly as possible if we get
7 exigency in this matter, Your Honor.

8 THE COURT: All right, well I'll have to
9 review the, review the schedule and see what we can
10 work out with that.

11 But if we've got a witness available, you may
12 as well as begin there, take care of the doctor, and
13 let him testify, so he can get on his way.

14 Okay.

15 MR. CHRONAKIS: Thank you, Judge.

16 THE COURT: Uh hum.

17 MR. CHRONAKIS: Your Honor, at this time,
18 Trinitas Hospital will call Arthur Millman to the
19 stand, please.

20 **A R T H U R E. M I L L M A N, M.D., DEFENDANT'S**
21 **WITNESS, SWORN:**

22 SERGEANT-AT-ARMS: Please state your full
23 name.

24 THE WITNESS: Arthur Edward Millman.

25 SERGEANT-AT-ARMS: Okay, spell your last

1 name.

2 THE WITNESS: M-I-double L-L-M-A-N.

3 SERGEANT-AT-ARMS: Thank you. Please be
4 seated.

5 THE COURT: Mr. Chronakis.

6 MR. CHRONAKIS: Thank you, Your Honor.

7 **DIRECT EXAMINATION BY MR. CHRONAKIS:**

8 Q Good morning, Doctor.

9 A Good morning.

10 Q Doctor, can you tell us where you went to
11 college and medical school, please.

12 A Undergraduate, I went to City College of New York
13 and for medicine, the Albert Einstein College of
14 Medicine.

15 Q And Doctor, do you have any board
16 certification?

17 A I'm boarded in Internal Medical and in
18 Cardiovascular Diseases.

19 Q And how long have you been practicing
20 medicine, Doctor?

21 A Since 1969.

22 Q Doctor, what is your specialty?

23 A Cardiovascular diseases.

24 Q And can you briefly describe your
25 professional experience since you started practicing

1 medicine?

2 A Well, I do general cardiology, noninvasive
3 cardiology and also invasive cardiology, and probably
4 the only cardiac teacher at the moment at the hospital
5 in the training program.

6 Q How long have you been instructing other
7 physicians?

8 A Since 1969.

9 Q Okay. Doctor, where are you currently
10 employed?

11 A At Trinitas Hospital.

12 Q And with respect to -- are you familiar with
13 the subject of this matter, Mr. Reuben Betancourt?

14 A Yes.

15 Q Do you have any financial interests in the
16 outcome of this case or in Mr. Betancourt's
17 disposition?

18 A No.

19 Q And if you can, describe your relationship
20 with Trinitas Hospital in terms of your tenure there?

21 A I was originally brought in in '77 to be the
22 Associate Director of Cardiovascular Diseases, and in
23 time I became the Chief of Cardiology where I've been
24 ever since.

25 Q What is your medical relationship with Mr.

1 Betancourt?

2 A He's my patient.

3 Q He's your patient. Are you, -- is it correct
4 to say you're his treating physician?

5 A I'm the doctor of record at the moment.

6 Q And how long have you worked with Mr.
7 Betancourt?

8 A It's got to be about a year by now.

9 Q Why did you take over Mr. Betancourt's
10 treatment? Or how did you come to --

11 A Well originally I saw him before he was
12 hospitalized. And then on his most recent
13 hospitalization, he was under the care of the doctors
14 who were taking care of him in the convalescent area
15 elsewhere before he was transferred back to Trinitas,
16 and then the family asked at a later time that I take
17 over his care instead of the doctors who were there and
18 I agreed.

19 Q Okay, do you know why you were asked to take
20 over his care?

21 A Well, they knew me. That's part of it, I suppose.
22 But the other part was the doctor was relatively
23 insistent that advanced life support and full
24 resuscitative measures were futile and that he really
25 didn't want to do any more of that.

1 Q How did -- if you know, how did the
2 Betancourt family know you?

3 A Oh, I suppose through Jackie.

4 Q Who is Jackie?

5 A She's Mr. Betancourt's daughter. She works for
6 me.

7 Q And what does she do for you?

8 A She's a medical assistant/secretary.

9 Q How long has she worked for you?

10 A I think about two years, I think.

11 Q Have you ever made Mrs. Betancourt, Jackie
12 Betancourt, aware of your opinions about her father?

13 A Yes.

14 Q When was that?

15 A On multiple occasions.

16 Q How long ago was the first time?

17 A Probably eleven months ago, something like that
18 when it became clear that he had a permanent anoxic
19 encephalopathy without any hope of recovery.

20 Q And does Ms. Betancourt still work with you
21 and for you?

22 A Yes.

23 Q What is Mr. Betancourt's current diagnosis?

24 A Well he has multi-organ system failure. His
25 kidneys have failed, his lungs have failed. He's

1 intermittently septic.

2 He has an underlying malignant thymoma which
3 was brought into surgery in the first place, and he has
4 hypertensive heart disease, intermittent congestive
5 failure which is currently under control. And the
6 overwhelming problem is of course the permanent anoxic
7 encephalopathy with total loss of cognizant function.

8 Q That last part of Mr. Betancourt's diagnosis,
9 Doctor, can you explain that in --

10 A Well he had an anoxic episode in the hospital
11 after his surgery. He lost all his cognizant brain
12 function.

13 And initially he was treated aggressively in
14 the hope that perhaps that would come back which
15 sometimes it does.

16 But if you don't see any change for the
17 better within a few days, the likelihood of return to
18 cognizant function is virtually zero, particularly in
19 the older adult. It's different in children.

20 Q How old is Mr. Betancourt?

21 A 73, I think.

22 Q And Doctor you described an, I believe, an
23 anoxic episode. Can you explain what that is?

24 A He was -- he extubated himself when he was in the
25 Intensive Care Unit after his operation, and it was a

1 -- by the time they could get him re-intubated and
2 resuscitated, there had been enough time for lack of
3 oxygen to permanently damage his brain.

4 Q Doctor, in your medical opinion, what is Mr.
5 Betancourt's prognosis?

6 A He's terminally ill. He's been dying slowly and
7 painfully.

8 Q Can you describe the mechanical measures that
9 Trinitas Hospital is using to keep Mr. Betancourt alive
10 currently?

11 A He's on a ventilator that supports the breathing.
12 He's being dialyzed at least three times a week, that
13 supports the kidneys. He gets antibiotics for
14 treatment of some truly horrific decubitus ulcers and
15 continued antibiotics.

16 He's receiving nourishment via a peg tube,
17 it's a tube that goes into the stomach and provides
18 access for food, medicines, things like that.

19 And he gets really aggressive nursing care.
20 They're always turning him from one side or another,
21 desperately trying to treat the decubiti with which he
22 was unfortunately admitted on the current admission
23 which is, must be something like seven months old,
24 something like that.

25 Q Doctor what, in your medical opinion, is Mr.

1 Betancourt's neurological state?

2 A He's in a non-cognitive state. That is there's no
3 higher mental function. None of the things that make
4 us human are present. All that's left is brain stem
5 function and the nervous system, nothing that is
6 aware.

7 Q Is -- in your opinion, is Mr. Betancourt
8 permanently unconscious?

9 A Yes.

10 Q And do you know whether this has been
11 confirmed by any other physician?

12 MR. MARTIN: Then that would be hearsay I
13 would think?

14 MR. CHRONAKIS: -- Beg your pardon?

15 MR. MARTIN: I would object. That would be
16 hearsay, Judge.

17 THE COURT: If it's records that he relied
18 upon in reaching his opinion, then indicate what it is,
19 what information he had available to render his
20 opinion.

21 MR. MARTIN: Judge, if it were records, I
22 would imagine it would be records wherein these
23 physicians have expressed their opinions which would be
24 objectionable if they are complex medical opinions.
25 Additionally, as I understand it, there are a legion of

1 physicians are going to testifying following. And I
2 would imagine a neurologist, a pulmonologist, et cetera
3 will probably be here to express those opinions. To
4 ask the doctor to simply regurgitate what --

5 THE COURT: I'm assuming you're right about
6 who we're going to hear from.

7 MR. CHRONAKIS: Well, Judge, we're not
8 necessarily going to hear from all those types of
9 physicians that you unwrap a mystery. The two-
10 physician assessment is part of not only the
11 guardianship regulations but several cases that are
12 cited in both parties' briefs. But if Your Honor
13 prefers, we can tie this in later based on the
14 testimony of --

15 THE COURT: We'll just wait for other
16 witnesses then.

17 MR. MARTIN: Thank you, Judge.

18 BY MR. CHRONAKIS:

19 Q Dr. Millman, in your medical opinion, is
20 there any treatment that will improve Mr. Betancourt's
21 condition as you've described it?

22 A No.

23 Q Doctor, you made reference, I believe, to
24 problems both with Mr. Betancourt's internal organs as
25 well as with his skin. Can you elaborate on the

1 latter?

2 A Well the skin is breaking down, and there are
3 multiple huge ulcers that the wound service at the
4 hospital has been treating aggressively, but despite
5 that, he's developed infection into the bone, that's
6 called osteomyelitis which is a very pernicious thing,
7 and with poor serum proteins and with his general
8 debilitated state, he just doesn't heal, which makes it
9 very difficult.

10 When someone is at -- always in bed, which,
11 of course, he has to be, since he couldn't possibly
12 stand, since he doesn't function, you get a catabolic
13 state, that is, things start to break down,
14 particularly proteins.

15 Even when you nourish the patient with food,
16 you still generally have a negative nitrogen balance so
17 that the patient still doesn't feel as well as someone
18 who could move about, can get out of bed, can be
19 ambulated, and this becomes all the worse if you're on
20 dialysis or if you're on a ventilator, or both, and
21 it's compounded by generalized episodes of sepsis and
22 pneumonia, urinary tract infections, all of which he's
23 had.

24 The skin becomes virtually parchment like and
25 falls apart at the slightest touch.

1 MR. CHRONAKIS: Judge, may we approach?

2 THE COURT: Yeah.

3 (At sidebar)

4 MR. CHRONAKIS: Judge, we have one
5 photograph, we have several photographs of Mr.
6 Betancourt that we feel are probative, but I'm
7 concerned with at least introducing in the normal
8 course and the way the family might see them because
9 they may be upsetting.

10 So I would like to first show them to counsel
11 who hasn't seen them and then to Your Honor, and of
12 course then to Dr. Millman to authenticate and
13 testify.

14 But if nothing else, I want to make the court
15 aware that I just don't want the family to be
16 unnecessarily impacted by photographs which are rather
17 graphic, and I'm only going to introduce one, because I
18 think they may be cumulative after one.

19 THE COURT: Well, maybe you can just ask the
20 doctor that if he's testifying about the photographs
21 that's shown to him, that he just keep it down on the
22 -- I don't want him holding it up when he's testifying
23 or pointing to anything.

24 MR. CHRONAKIS: Sure. We're going to ask him
25 that on the record, Judge?

1 MR. MARTIN: You could just tell him.

2 THE COURT: Just tell him at this point.

3 (Sidebar concluded)

4 MR. CHRONAKIS: Your Honor, I've provided Dr.
5 Millman with a photograph that I'm marking for
6 identification as Defendant's Exhibit 1.

7 (D-1 marked for
8 identification)

9 BY MR. CHRONAKIS:

10 Q Dr. Millman, when was the last time you saw
11 Mr. Betancourt?

12 A Yesterday.

13 Q Doctor, if you could turn your attention to
14 the photograph marked as Defendant's Exhibit 1. I ask
15 you if you can determine when that photograph was
16 taken.

17 A Some time within the last few months. I don't
18 know if it has a date on it. Yeah, it does,
19 2/13/09.

20 Q Now Doctor, there's a -- well, do you see
21 the, a label on the photograph with Mr. Betancourt's
22 name?

23 A Yes.

24 Q Now what is the July 2008 date on that
25 label?

1 A Oh, that would be the date of admission.

2 Q Okay.

3 And what do you understand this photograph to
4 depict?

5 A This is a decubitus ulcer, Stage 4.

6 Q Okay.

7 A It's as bad as they get.

8 Q Doctor let me ask you.

9 What part of Mr. Betancourt's body we're
10 looking at in this picture?

11 A I think, I can't tell which one but it looks like
12 one of his buttocks, going over the ileac crest, and
13 you can see the bone peeping through.

14 Q Okay.

15 What -- when you last saw Mr. Betancourt, did
16 his skin condition look substantially similar to what
17 you're seeing in Defendant's Exhibit 1?

18 A Yes.

19 Q Um.

20 A He has others that are like this. This is only
21 one.

22 Q Okay, is this -- what is the medical name for
23 what we're looking at?

24 A It's a decubitus ulcer. It comes from pressure.

25 People who are cognizantly aware usually don't stay in

1 one spot. They'll move.

2 If you stay in one spot, something will sure
3 to start hurting and you'll move just so that you'll
4 feel better.

5 But if you stay in one spot because you have
6 to, then this sort of thing is very common.

7 You see it particularly in spinal cord
8 injuries and quadriplegics, paraplegics.

9 Q Doctor, does Mr. Betancourt, I think you
10 mentioned he has more than one. Where else, if any,
11 are?

12 A They're on both sides. They're present on both
13 sides.

14 Q Okay.

15 Where on his body are other ulcers?

16 A Well sir, it might make it easier if I show you.
17 Over here. They're very large.

18 Q Are they on any other part of his body
19 besides the buttocks?

20 A Nothing like this. There are other areas that are
21 more modest.

22 Q Where are those?

23 A Well on the arms, the legs, back.

24 Q And what is -- when you mention the stage 4
25 ulcer, what is the significance of that?

1 A That means that it's gone through the entire skin
2 into the subcutaneous tissue. And in this case, it's
3 gone into the bone.

4 Q And are the ulcers that you described
5 elsewhere on his body of a different stage?

6 A The other one on the other side is about the same.
7 The others are much milder. The nurses spend an awful
8 lot of time treating this. They're very good at it,
9 and so they limit it as much they can.

10 Q What do they do to treat it?

11 A Well they irrigate it, they clean it, they move
12 him off of pressure spots. He's always being rotated
13 from one side to another side.

14 They keep it very clean and the wound service
15 does a lot of very active care.

16 Q Doctor, how long have you observed ulcers at
17 any stage on Mr. Betancourt's body?

18 A From July 3, 2008.

19 Q Are these ulcers going to heal with time?

20 A No.

21 Q Why not?

22 A He is too debilitated, has too many things wrong
23 with him for this to ever get better.

24 He's getting the best treatment he can
25 possibly get for this and it really hasn't helped that

1 much.

2 Q Doctor, I want to ask you about the dialysis
3 treatment for Mr. Betancourt and start by asking
4 whether in your professional medical opinion, you
5 believe continued dialysis for Mr. Betancourt is
6 medically appropriate.

7 A It's futile, it won't help.

8 Q Do you believe that continued dialysis is
9 consistent with generally accepted standards of medical
10 practice?

11 MR. MARTIN: Judge, I would object. I think
12 the doctor's now testifying outside of his specialty.

13 BY MR. CHRONAKIS:

14 Q Doctor.

15 MR. CHRONAKIS: I'm sorry, Judge. Dr.
16 Millman has testified regarding his vast experience,
17 training and actually educational background in all
18 aspects of internal medicine.

19 We will have a nephrologist, but Dr. Millman
20 if you prefer me to further back on, is certainly
21 competent to testify regarding dialysis especially in a
22 big picture question like the one that's pending.

23 MR. MARTIN: Judge, he's being asked to
24 express a standard of care opinion in the area of
25 nephrology in which he has no credentials. There's an

1 nephrologist who's scheduled to testify.

2 MR. CHRONAKIS: Judge, again. Internal
3 medicine covers all areas of the internal body, one of
4 which is the kidneys. So I don't know if this is
5 really a legal objection as much as an area of, (clears
6 throat), excuse me, an area, if you'd like me to lay a
7 further foundation.

8 But I do believe Dr. Millman is competent to
9 testify on the effect of dialysis and the propriety of
10 dialysis as part -- as within his scope of expertise
11 and experience in internal medicine.

12 MR. MARTIN: Judge, unless there's some
13 foundation laid that he has administered dialysis,
14 treated --

15 THE COURT: If we could just get some
16 additional evidence with respect to his experience in
17 the area, we'll move on from there then.

18 MR. CHRONAKIS: Yes, Your Honor.

19 BY MR. CHRONAKIS:

20 Q Doctor, are you able to estimate how many
21 patients you've treated or cared for in your nearly 40
22 year career?

23 A Tens of thousands. It's a long time.

24 Q Were any of those patients on dialysis?

25 A Yes.

1 Q Can you approximate how many?

2 A Well it's in the hundreds. It may be higher than
3 that. But it's certainly in the hundreds.

4 Q And have you had patients on dialysis where
5 both you and a nephrologist are working with a
6 patient?

7 A Oh certainly.

8 Q Okay.

9 Now in your experience in Internal Medicine,
10 do you observe and evaluate issues with patient's
11 kidneys and the use of dialysis?

12 A Certainly.

13 Q Did you receive education and training
14 related to treatment of the kidneys and dialysis?

15 A Yes.

16 Q In terms of assessing Mr. Betancourt's
17 condition, are you aware of the condition of his
18 kidneys?

19 A Yes.

20 Q Are you aware that he has been on dialysis?

21 A Yes.

22 Q Are you able to, (clears throat), excuse me,
23 establish a professional medical opinion related to Mr.
24 Betancourt's kidneys and the benefit of dialysis?

25 A Yes.

1 MR. CHRONAKIS: If the court would agree that
2 a sufficient foundation has been laid, I would like to
3 resubmit the pending question to Dr. Millman.

4 MR. MARTIN: Judge, I would -- obviously I
5 would disagree and I would continue my objection.

6 THE COURT: I'll overrule the objection. I
7 think there is a sufficient foundation established that
8 the doctor is familiar with treating patients with
9 kidney disease and the nature of that treatment. So
10 --

11 MR. CHRONAKIS: Thank you, Your Honor.

12 BY MR. CHRONAKIS:

13 Q Dr. Millman, in your professional medical
14 opinion, is it consistent with generally accepted
15 standards of medical practice to continue dialysis
16 treatment for Mr. Betancourt?

17 A No.

18 Q Doctor, are you aware whether a Do Not
19 Resuscitate or DNR order is in place for Mr.
20 Betancourt?

21 A Not at the moment, it had been.

22 Q It had been.

23 Can you explain to the court how that came to
24 be.

25 A We placed him in the Do Not Resuscitate Capacity

1 because it was futile to produce cardiopulmonary
2 resuscitation.

3 It would not offer anything for the better in
4 his case. And then when the court ordered that that be
5 reversed, we followed the court's order.

6 Q Now when was the Do Not Resuscitate order
7 placed by the hospital?

8 A Must be about a month ago or something, maybe
9 more.

10 Q And has it been lifted or --

11 A Yes.

12 Q -- not in place since the time of the court's
13 order.

14 A Yes, once we got the court's order, that's what we
15 did.

16 Q And just so the record is clear, what is the
17 effect of a DNR order?

18 A All it means is that if the patient's heart stops
19 or if he has a problem with blood pressure or his
20 breathing becomes worse than it already is, that
21 nothing active be done to change that, that being the
22 natural history of his illness.

23 Q And, Doctor, in your professional medical
24 opinion, is it consistent with generally accepted
25 standards of medical practice to have a DNR order in

1 place for Mr. Betancourt?

2 A It's hard to conceive of a patient who had the ...
3 problems he has who wouldn't have one.

4 Q Doctor, do you believe that Mr. Betancourt's
5 illness is irreversible?

6 A Yes.

7 Q And do you believe the risks and burdens of
8 continued dialysis outweigh the benefits of such
9 dialysis for this patient?

10 A I can't think there's any benefit.

11 Q What in your opinion will happen to Mr.
12 Betancourt if he's continued, if he's continued to be
13 sustained by the medical, excuse me, by the mechanical
14 processes you describe?

15 A He'll continue in his present course which has
16 been inexorably downhill. All we are doing is
17 prolonging his dying in a painful fashion.

18 Q In the event that Mr. Betancourt suffered
19 heart failure, do you believe that efforts to
20 resuscitate him would be consistent with generally
21 accepted standards of medical practice?

22 A Heart failure is probably the wrong word.

23 Even if the heart had stopped that trying to
24 bring him back that a) probably would be futile, but
25 also would be, I think, contrary to proper medical

1 care.

2 Heart failure we have treated from time to
3 time when it appears, and that's not a major
4 intervention. That's just giving a modest
5 medication.

6 DNR doesn't mean you don't treat. It means
7 that treatment is limited to things that are not so-
8 called heroic in nature.

9 Q Doctor, do you --

10 (Phone rings)

11 MR. CHRONAKIS: I'm Sorry, Judge.

12 BY MR. CHRONAKIS:

13 Q Doctor --

14 (Phone rings three more times)

15 MR. CHRONAKIS: I don't know what button to
16 press to make this stop ringing, but I will turn the
17 phone off.

18 BY MR. CHRONAKIS:

19 Q Doctor. Do you believe that continued care
20 of Mr. Betancourt as you've described, that is the
21 dialysis, the lifting the DNR order, the ventilator, et
22 cetera, do you believe that that continued care is
23 against your professional ethics?

24 A Yes.

25 Q Is it against your professional practices?

1 A Yes.

2 Q Do you believe it's appropriate?

3 A I don't think any of these things should be
4 done.

5 MR. CHRONAKIS: Judge, may I have a moment?

6 THE COURT: Yes.

7 MR. CHRONAKIS: Your Honor, I have no further
8 questions at this time.

9 THE COURT: Mr. Martin.

10 MR. MARTIN: Thank you, Judge.

11 CROSS-EXAMINATION BY MR. MARTIN:

12 Q Dr. Millman, you are the patient's attending
13 physician.

14 A Yes.

15 Q Correct? What does that mean?

16 A Well he's the one who's supposed to coordinate the
17 care, because principally he has so many problems that
18 there are multiple -- a multiplicity of specialists
19 involved in his care, and the doctor of record is
20 supposed to try and coordinate all that.

21 Q By coordinate, does that include calling in
22 different specialties?

23 A Yes.

24 Q To address different medical problems?

25 A Yes.

1 Q Are you actively treating him for a cardiac
2 condition?

3 A No. He's not really had a bad heart.

4 Q How is it then that a cardiologist becomes
5 the attending physician for a man with these other
6 varying problems?

7 A The family asked me.

8 Q When did you become the attending?

9 A Probably a month or two ago, something like
10 that.

11 Q And who was attending before that?

12 A Dr. Kassaroichi and Dr. Drew (phonetics). There's
13 a -- they have a formal name which I don't remember but
14 Dr. Kassaroichi is the head of their group.

15 Q And what's their specialty?

16 A Internal Medicine.

17 Q When you took over, you took over at the
18 family's request?

19 A Yes.

20 Q And that was, I'm sorry, you said a couple of
21 months ago?

22 A A month or two, something like that.

23 Q So it was during this particular admission.

24 A Oh yes.

25 Q And did you say that when Mr. Betancourt was

1 admitted, he was admitted with the decubitus
2 ulcers?

3 A He already had decubiti.

4 Q He had developed those at a different site.

5 A Exactly.

6 Q Have they improved, gotten worse, gotten --

7 A Worse.

8 Q And that is despite the treatment that's been
9 given.

10 A Exactly.

11 Q Who's treating those, what specialty?

12 A Dr. Losman, he's a wound care specialist.

13 Q And I'm sorry, what's his name?

14 A Losman, L-O-S-M-A-N. Losman.

15 Q Dr. Losman specializes in the treatment of
16 this condition.

17 A Yes.

18 Q Are there protocols in place that the patient
19 is rotated every couple of hours?

20 A He sees to that and we see it all the time. It's
21 a lot of nursing work and the nurses are very good
22 about that.

23 Q And are you responsible for bringing that
24 particular physician in?

25 A He was brought in by Dr. Kassaroichi before I

1 assumed control. But if I had been the doctor of
2 record at that time, I would have done the same
3 thing.

4 Q Okay.

5 Now who is responsible for the treatment of
6 this patient's kidney condition?

7 A Dr. Khazaei.

8 Q And Dr. Khazaei is a nephrologist.

9 A Yes.

10 Q Was Dr. Khazaei already in place when you
11 took over?

12 A Yes.

13 Q Who's responsible for his, for the
14 ventilator?

15 A Dr. Garth (phonetic)?

16 Q And what's his --

17 A Specialty, a pulmonologist.

18 Q And I understand there's G-tube in place.

19 A Yes.

20 Q Who's responsible for that?

21 A I think Dr. Veiana's group put that in. It was
22 either he or his covering doctor if I remember right.
23 He's been there a long time.

24 Q What's his specialty?

25 A Gastroenterology.

1 Q The patient is also seen by a neurologist.

2 A Yes.

3 Q And is that Dr. Schanzer.

4 A Schanzer.

5 Q Schanzer. What other specialties consult?

6 A Well Infectious Disease, Dr. Scherer and Dr. Faraz
7 (phonetics) have been seeing him.

8 Q Tell me then what other than coordinating
9 those particular consults, what direct care do you
10 provide the patient?

11 A Most of what he needs is provided by the
12 consultants.

13 From a cardiac standpoint, apart from
14 occasional episodes of heart failure, there's nothing
15 that much for me to do.

16 Q Did you know this patient before a couple of
17 months ago?

18 A Yes.

19 Q How did you know him?

20 A I saw him in the office.

21 Q For what condition?

22 A He was having a chronic cough (inaudible).

23 Q I take it that you're familiar with the
24 record, the hospital record, in this particular
25 case.

1 A Yes.

2 Q Did this patient have a Living Will, an
3 Advanced Directive, any of that?

4 A I don't remember that.

5 Q Have you ever discussed that circumstance
6 with this patient?

7 A I did not.

8 Q Have you ever discussed it with the family?

9 A I've mentioned what I thought the proper standards
10 ought to be for the family, yes.

11 Q I understand from reading the hospital record
12 that was supplied I think it was January 14, you had a
13 conversation with Jacqueline and perhaps other family
14 members, correct?

15 A I don't remember but we have had such discussions.

16 Q Were you designated by the hospital to talk
17 to the family prior to discontinuing the dialysis?

18 A Yes.

19 Q You were the hospital representative.

20 A Yes, and I did mention that.

21 Q Have you had conversations with the family
22 prior to this about --

23 A Mostly --

24 Q -- continuing treatment?

25 A Mostly with Jackie, yes.

1 Q And what was her expression or her feeling?

2 A She was very upset about this, which I can
3 understand, and she mostly preferred that her brothers
4 make the decisions.

5 Q Have you ever talked directly to the
6 brothers?

7 A Yes.

8 Q And what is their feeling concerning
9 discontinuing treatment?

10 A They want all treatment continued.

11 Q Has any member of the family adopted the
12 position of the hospital insofar as discontinuing
13 dialysis or any of the other necessary treatment.

14 A No.

15 Q And you've not had a discussion with the
16 patient himself about his wishes or desire in a
17 circumstance such as this.

18 A It would be a one-sided discussion.

19 Q Who gave you permission to place the DNR in
20 the patient's record?

21 A The hospital said that that could be done without
22 the family's consent.

23 Q So no one ever discussed that with the
24 family.

25 A It had been discussed before, and has actually

1 been placed before, but that was by Dr. Kassaroichi's
2 people.

3 Q And when it was placed before, the family
4 insisted upon it being removed. Isn't that true?

5 A I don't know.

6 Q And it was placed without their knowledge on
7 that occasions. Isn't that true?

8 A I don't believe so. I believe I spoke with Dr.
9 Kassaroichi about it and he told me that they did
10 discuss that with the family and that they did obtain
11 consent verbally.

12 Q Who insisted that it be removed?

13 A The family.

14 Q And they insisted that an order that was in
15 place be removed because they never authorized it to be
16 placed in the first place. Isn't that correct?

17 A That was their position but it's not the position
18 of the doctors who spoke to them.

19 Q So --

20 A We have a he said/she said sort of thing.

21 Q So we find ourself in a circumstance where
22 you don't know what the patient's wishes would be.
23 Correct?

24 A (No response).

25 Q Because you never discussed it with him.

1 A No, I haven't seen him long enough to have such a
2 discussion.

3 Q You don't whether he had an Advanced
4 Directive or a Living Will yourself. Correct?

5 A Not to my current knowledge. I would have to look
6 back at the records to find out because we keep things
7 like that.

8 Q Wouldn't that be something you'd want to know
9 in this circumstance?

10 A At this point, no, because it wouldn't make any
11 difference.

12 Q And you've never talked to a family member
13 who ever expressed concern or a willingness to go
14 forward with these, with discontinuing any of these
15 treatments. Correct?

16 A No. I've spoken with them about discontinuing
17 treatment, making him DNR, and each time I brought this
18 up, I get this resistance.

19 Q Because the family is not inclined to adopt
20 that position, correct?

21 A Correct.

22 Q So we're here because you and/or the hospital
23 wish to impose your standards or beliefs on this
24 family, correct?

25 A No.

1 Q Have you taken into consideration that the
2 family doesn't wish to discontinue the treatment?

3 A Yes.

4 Q Despite that, you're going ahead on one
5 occasion and stopped the dialysis.

6 A We would not want to do therapies that are against
7 proper medical practice or against the ethical bases
8 set forth in the statements that the American Medical
9 Association has so nicely codified.

10 When you have futile therapy in a hopeless
11 case, it is inappropriate to push forward with further
12 therapy, knowing that this can only make things
13 worse.

14 Q Doctor I appreciate that answer but your
15 answer to my question would be we intend -- we are
16 attempting to impose our wishes over the wishes of the
17 family. Isn't that correct?

18 A No.

19 MR. CHRONAKIS: Objection, Your Honor.

20 THE COURT: Overruled.

21 BY MR. MARTIN:

22 Q This man is not brain dead, is he?

23 A He has no cognitive function. That means that he
24 functions on the level of a microscopic organism. He
25 can react to pain, and that's about it.

1 Q Have you seen the affidavit of Dr. Schanzer?

2 A Yes.

3 Q And you disagree with Dr. Schanzer who says
4 this patient does not respond to pain.

5 A Yes, he does respond to pain. I know it because
6 I've seen it.

7 Q You disagree with the neurologist who's
8 treating with him, Dr. Schanzer.

9 A I have to disagree on that one point.

10 He doesn't have an cognitive, cognizant
11 response to pain which I think is the way he phrased it
12 in that consultation.

13 But in terms of the flesh reacting to being
14 irritated, that he stopped.

15 Q So you disagree with Dr. Schanzer when he
16 says he patient does not respond to pain.

17 A I told you that I don't think that's what it says
18 there.

19 Q Well that's what his affidavit says.

20 A He says he doesn't have any cognizant response to
21 pain.

22 Q Do you have any special training in
23 neurology?

24 A No.

25 Q Do you have any special training in

1 nephrology?

2 A Yes.

3 Q What training is that?

4 A I did an awful lot of nephrology. I ran a
5 dialysis unit for two years in the service.

6 Q Do you have any training in wound care,
7 special training?

8 A No.

9 Q Any special training in pulmonology?

10 A No.

11 Q And the extent of your nephrology is treating
12 patients while you were in the service.

13 A Then and since but I don't initiate dialysis
14 myself anymore because the field has progressed. I
15 call a nephrologist for that.

16 Q So you do you hold yourself out as a
17 specialist in nephrology?

18 A No.

19 Q An expert in nephrology?

20 A No. That's why we have people to help us.

21 Q A PGY-2 is a resident.

22 A Yes. It means post graduate year 2.

23 Q I'm sorry?

24 A It means post graduate year 2.

25 Q Is this patient awake from time to time?

1 A There's no cognitive function so he cannot be said
2 to be awake.

3 Q So if a pulmonology resident visited him on
4 January 22 of this year and found him to be awake, you
5 would disagree with that assessment I take it?

6 MR. CHRONAKIS: Objection, Your Honor. And
7 there's no foundation for this question. It's not an
8 expert witness. There's nothing in the record to
9 establish what Dr. Millman's being asked to respond
10 to.

11 MR. MARTIN: Your Honor, I'm going to present
12 him with this. This is a part of the hospital record
13 that I was provided with.

14 THE COURT: I'm going to overrule the
15 objection.

16 BY MR. MARTIN:

17 Q Doctor, I'm going to show it to you because
18 we're at that point.

19 This is a part of the hospital record for the
20 admission of July of 08 and it's part of the progress
21 record.

22 Now what is a progress record?

23 A What the doctor did that day.

24 Q And this is a pulmonology resident, a PGY-2
25 pulmonology.

1 A Yes. It's his pulmonary note.

2 Q And he would ordinarily make rounds.

3 A Well with a pulmonologist, yes. I don't know. I
4 can't decipher the handwriting. That's what the number
5 is for because each of them has a number.

6 Q Okay.

7 A But the patient has never been awake since his
8 anoxic encephalopathy.

9 Q I haven't asked -- I haven't asked you a
10 question yet.

11 This is a round that this pulmonology
12 resident made at ten a.m., correct?

13 A Uh hum.

14 Q An S with a circle means subjective.

15 A Um, yes.

16 Q What does that mean in this context?

17 A What they are observing, what they see? And the
18 resident is not trained at the level to be able to
19 assess --

20 Q You know what? Stop volunteering
21 information. If you could, just please stick with the
22 question. All right.

23 I know you want to criticize the
24 pulmonologist because he disagrees with you,
25 correct?

- 1 A No.
- 2 Q Well he says he was at bedside and observed
3 the patient to be awake.
- 4 A He does not.
- 5 Q What does it say there?
- 6 A It says, Number 706 observed the patient to be
7 awake.
- 8 Q What did I just say that you say that he does
9 not? I don't understand your answer.
- 10 A That's not the pulmonologist. That's the resident
11 trying to learn pulmonology.
- 12 Q This is a resident in pulmonology, correct?
- 13 A Yes.
- 14 Q This is a gentleman who has presumably
15 graduated medical school, correct?
- 16 A Yes.
- 17 Q He's in his second year of residency,
18 correct?
- 19 A Yes.
- 20 Q Which means I take it it's a rotating
21 residency at Trinitas.
- 22 A (Inaudible).
- 23 Q So he is a permanent resident in pulmonology?
- 24 A No.
- 25 Q Does he round in different specialties?

1 A Yes.

2 Q We don't know how long he's been in
3 pulmonology, but we know this is his second year of
4 residency.

5 A I know how long he's been in pulmonology.

6 Q And would you think that a medically trained
7 second year resident wouldn't be able to tell the
8 difference between a patient who is awake and not
9 awake?

10 MR. CHRONAKIS: Objection, Your Honor. I
11 don't mean to be standing in front of you.

12 THE WITNESS: That's okay.

13 MR. CHRONAKIS: I want to apologize for that

14 --

15 Your Honor, Dr. Millman is called as a fact
16 witness to testify regarding his assessment of Mr.
17 Betancourt.

18 We're now -- certainly he can be confronted
19 with the hospital's record and ask to explain. But
20 we're now having Dr. Millman sort of explain what some
21 pulmonologist who has not testified thought about some
22 record that Dr. Millman did not testify to.

23 So we're either way outside the scope of
24 direct examination or certainly beyond what a fact
25 witness should be asked.

1 MR. MARTIN: Judge, first of all if I knew he
2 was a fact witness, I would have had 100 objections to
3 most of his testimony. I thought he was here as an
4 expert.

5 But be that as it may, I'm asking him to
6 comment on this particular part of the hospital
7 record.

8 This is not some sophisticated medical
9 opinion. This is a medical doctor's observation of the
10 condition of the patient.

11 THE COURT: I'm going to overrule the
12 objection. I think it's, I think it's fair cross
13 examination.

14 The doctor on direct examination indicated in
15 his opinion the patient was not cognitive and is simply
16 being confronted with something in the record to
17 question that opinion.

18 BY MR. MARTIN:

19 Q Doctor, is it not the consultant physicians
20 that you called in unless there is some untoward event
21 that requires their attention, would make periodic
22 visits to the patient. Is that how it generally works
23 procedurally?

24 A It depends on whether their services are still
25 needed.

1 For Dr. Garth who's the pulmonologist he will
2 see him regularly because he's still on the ventilator.
3 For Dr. Khazaei, who's the nephrologist, she will see
4 him regularly because he's still on dialysis.

5 For treatment of his infections, both Drs.
6 Losman and the infectious disease people will see him
7 regularly because that's still an active problem.

8 For treatment of anything else, I would have
9 to call someone else in if there was something that
10 requires someone else's attention.

11 Q What I'm trying to establish though. What is
12 regularly?

13 A It varies.

14 Q Once a day.

15 A No, it varies with the attending and how often
16 they feel they need to see them.

17 The infectious disease people, often it's not
18 daily, it's sometimes every other day. You know they
19 have to judge based on the individual patient.

20 But the nephrologist, it would be at least
21 three times a week. And for the pulmonologist,
22 probably varies. For me, it's daily.

23 Q The resident then, are they responsible for
24 the day-to-day care of the patient.

25 A No, he's not on the teaching service.

1 Q Is the resident someone who routinely or
2 regularly visits the patient?

3 A No.

4 Q How is it that this PGY-2 would have seen the
5 patient on January 22?

6 A He was rotating through pulmonary for that month
7 and would see the patients as part of that rotation
8 just like he would if he were rotating through
9 rheumatology or cardiology or infectious disease,
10 whatever patients were on that service being followed
11 by the doctor who is teaching him, he or she would
12 see on a daily basis as long as they were still being
13 followed by the specialty.

14 Q Let me show another record on January the 9
15 of 2008 at 1:26 p.m.

16 It appears to be -- first of all, do you see
17 a number? Again, this is a PGY-2 pulmonary service.

18 A Yes. Right. It's the same one.

19 Q Okay.

20 A The same doctor. This is the same number.

21 Q And I'll give you this in a moment.

22 But the record says that at 1:26 the patient
23 was seen at bedside. "He was responsive to touch and
24 verbal stimulus." What does that mean?

25 A I have no idea since I didn't do it.

1 Q Well when a patient is responsive to touch,
2 what does that mean to the medical professional?

3 A If the patient is cognizant, then you'll be able
4 to interpret that.

5 If they are simply responding in a vegetative
6 manner, then touch response will be if I touch you will
7 --

8 Q When they say he responded to verbal stimuli,
9 stimulus, what does that mean?

10 A Ever since his anoxic encephalopathy, I'm assuming
11 here, what I would mean by that is ever since his
12 anoxic encephalopathy he has responded to noise.

13 So if you call his name or make a sound, drop
14 something, he will turn towards that.

15 Q Can he communicate?

16 A No.

17 Q Does he have a regular sleep cycle?

18 A No.

19 Q And he's never awake.

20 A He's never awake because he's never cognizant.
21 The eyes are open but that doesn't mean you're
22 awake.

23 Q So when this physician says that he observed
24 on one occasion to be awake, and on another occasion he
25 responded to verbal stimulus and was responsive to

1 touch, do you disagree with those observations?

2 A Yes.

3 Q Doctor, would you -- the same physician, I'm
4 going to show you, talks on another note on January 9
5 as the patient being arousable. What does arousable
6 mean in this context?

7 A I don't know.

8 Q Well do you agree that this patient is
9 arousable?

10 A No.

11 Q On January the 19 at 8:25 a.m. this physician
12 says that the patient was awake and responsive.

13 You wouldn't, in your estimate, find this
14 patient ever to be awake and responsive, would
15 you?

16 A No, not since the anoxic encephalopathy,
17 unfortunately.

18 Q Is there a difference between being comatose
19 and being in a persistent vegetative state?

20 A Neither of them -- I mean persistent vegetative
21 state is a well-defined term.

22 Comatose there are different levels and it
23 also depends on what you mean by that.

24 When you don't have any cognitive function,
25 comatose doesn't apply as a concept.

1 Q What does semi-comatose mean?

2 A That means that someone is arousable and does have
3 cognizant function. When you don't have cognizant
4 function, people don't talk about coma.

5 Q I'm going to show you a note from the
6 hospital record on 12/17/08.

7 Do you recognize who that physician is?

8 A Yes, that's Dr. Losman.

9 Q And Dr. Losman says that this patient was
10 non-responsive, was in a semi-comatose state. You
11 would disagree with that I take it.

12 A I would just put it as vegetative. That's what I
13 would call it.

14 Q Well there is a medical difference between
15 semi-comatose and persistent vegetative state, is there
16 not?

17 A Uh hum.

18 Q And Dr. Losman is what type of a physician?

19 A He is a wound care specialist.

20 Q Doctor, as I understand it, strike that.
21 When a physician says that a patient is stable, what
22 does that mean?

23 A Well it means that their current situation isn't
24 changing, better, worse. It's about the same.

25 Q Is this patient stable?

1 A No. There are times when he is. At the moment,
2 he's less stable because he's becoming more septic
3 again, at least as of yesterday.

4 Q This is something called an inpatient
5 interdepartmental hand-off report. What does that
6 mean?

7 A You got me.

8 Q And this physician, -- this person in the
9 interim says that this patient is stable for transfer.
10 What does that mean?

11 A I don't know. You'd have to ask them.

12 Q And then on January the 6 of this year,
13 someone entered that this patient was stable for
14 transfer. You don't know what that means.

15 A Nope.

16 Q You are his attending physician, correct?

17 A Uh hum.

18 Q If this patient were to be transferred, would
19 that not have to be under your auspices?

20 A It depends on where you're transferring him.

21 Q Well if he were to be transferred to another
22 institution, would you have to sign off on that

1 would you participate in that?

2 A Usually.

3 Q Have you been actively been participating in
4 trying to transfer this patient to another facility?

5 A Not any more. The hospital made exhaustive
6 efforts to try and find a place that would accept him
7 for months without success.

8 So nobody's been trying anymore since they
9 can't find anybody who will take him.

10 Q Let me show you a note dated January 21,
11 2009. And I will represent to you that the hospital
12 record that I have I think stops on January 23. So
13 this is the most current that I have.

14 That there is a social worker by the name of
15 Jessica Oliva or Oliva trying to place him at a
16 facility in New York called Resorts.

17 A They have tried off and on since he was ready for
18 that sort of thing. But --

19 Q But you just said that they haven't -- they
20 gave up a couple of months ago.

21 A Uh hum.

22 Q Certainly as of January 21, she's still
23 communicating with a place.

24 A So they --

25 Q Let me finish the question, Doctor.

1 She's communicating with a place, that's
2 telling her that they just don't have a bed
3 available.

4 A The administration told me that no place was
5 willing to take him, bed or no bed. They've tried.

6 Q The administration is you, is it not?

7 A No.

8 Q Are you not part of the administration?

9 A Nope.

10 Q You're the chief of cardiology.

11 A Yup, but that has nothing to do with the
12 hospital.

13 Q You have privileges at Trinitas Hospital and
14 no other hospital, correct? --

15 A No.

16 Q Well else do you have privileges?

17 A Beth Israel, Saint Michaels, UMDNJ.

18 Q Do you admit patients routinely at either of
19 those physicians (sic).

20 A No.

21 Q Facilities?

22 A No.

23 Q You admit patients to Trinitas.

24 A Yes.

25 Q Your practice is limited to Trinitas.

1 A Basically.

2 Q You were designated by Trinitas to speak to
3 the family on behalf of Trinitas.

4 A No, they asked me to speak on behalf of what his
5 doctors thought was best, not anything having to do
6 with Trinitas, per se.

7 Q Who asked you to speak with them?

8 A Mr. Veran (phonetic).

9 Q Who's that?

10 A He's CEO.

11 Q The guy who runs the hospital?

12 A Yes.

13 Q What us poor folk would consider the
14 administration, correct?

15 A He's the administrator for the hospital.

16 Q This patient doesn't currently have
17 pneumonia, does he?

18 A Not as of yesterday.

19 Q He was admitted back in July because they
20 either had or they were concerned that he might have
21 pneumonia.

22 A He was pneumonia and he had sepsis.

23 Q Which is a complication of ventilation.

24 A Can be but it can also be just his underlying
25 chronic lung disease. So --

1 Q Well in this case do you have an opinion one
2 way or the other?

3 A No.

4 Q In the event they did arrest or bring under
5 control that condition.

6 A At that time.

7 Q There is nothing, Doctor, as I understand it,
8 correct me if I'm wrong, insofar as his ventilation is
9 concerned. While I realize that ventilation is not --
10 strike that.

11 There is nothing extraordinary about that
12 treatment with this patient, is there?

13 A What --

14 Q What I mean if there's no conditional
15 machinery, additional personnel required, any
16 additional efforts. He's receiving ventilation as it
17 would be administered to any other patient in need of
18 ventilation?

19 A Yes.

20 Q The same thing is true of the dialysis, is it
21 not?

22 A Yes.

23 Q He's receiving routine maintenance dialysis.

24 A Yes, it's not quite the same as it would be for
25 someone else, because there's much more nursing work

1 that's involved because where he is, he has to go down
2 three flights. Obviously he can't walk. He -- a
3 stretcher, and you can't use the ventilator that way
4 and so they have to have a respiratory therapist
5 ventilate him by hand while this is taking place. So
6 it's a lot of nursing work. I mean they do it three
7 times a week.

8 Q But that would be true of any patient who
9 would find themselves having to travel three floors.

10 A If they were on a ventilator. We --

11 Q So there's nothing --

12 A I don't think we've ever had this. I don't think
13 we've ever had a patient in his condition who was
14 undergoing chronic dialysis, at least I've never seen
15 it.

16 Q But other than having to transport him to
17 three floors, there's nothing unusual about the type of
18 dialysis he's receiving.

19 A Oh no.

20 Q Or the manner in which --

21 A It's quite routine.

22 Q It is your belief, is it not, Doctor, that
23 this, this gentleman will, will die in a matter of
24 months?

25 A Yes.

1 Q Regardless of whether or not this treatment
2 is discontinued or not.

3 A Yes.

4 Q That's all I have, Doctor. Thank you.

5 THE COURT: Counsel.

6 MR. MARTIN: Thank you, Judge.

7 THE COURT: Redirect.

8 MR. CHRONAKIS: Your Honor, first of all at
9 this time I would request to move D-1 into evidence.

10 THE COURT: Okay.

11 MR. MARTIN: Did you give it to the Judge.

12 MR. CHRONAKIS: Well I'm asking.

13 MR. MARTIN: I don't have an objection.

14 THE COURT: No objection.

15 MR. MARTIN: No objection.

16 THE COURT: Okay.

17 (D-1 placed into
18 evidence).

19 REDIRECT EXAMINATION BY MR. CHRONAKIS:

20 Q Dr. Millman, does an attending physician make
21 the final call on patient treatment issues?

22 A Yes.

23 Q And you do that for Mr. Betancourt?
24

25 A Yes.

26 Q Now if Mr. Betancourt were awake but in his

1 condition as you described in terms of his -- if this
2 could be that he were awake and requested dialysis,
3 would you, based on medical judgment and training,
4 would you provide it?

5 A I would argue against it.

6 But I don't think that considering his multiple
7 other illnesses that even if his brain were functioning
8 that he would be able to be awake to the point where he
9 could communicate this sort of information. And even
10 had that been true --

11 MR. MARTIN: Judge, that's way outside of a
12 hypothetical. So I would object.

13 THE COURT: If you would could confine your
14 answer to the question that was asked.

15 THE WITNESS: Yeah, I would argue against
16 dialysis under those circumstances.

17 BY MR. CHRONAKIS:

18 Q And I want to ask you this doctor.

19 You were shown part of Trinitas Hospital's
20 record where a PGY-2, a post-graduate year 2 entered
21 some notations on the record.

22 What, what level of medical experience is a
23 PGY-2.

24 A It's a second post-graduate year. PGY-1 is the
25 year after medical school. PGY-2 is the second year

1 after medical school.

2 Q Is this physician considered a student
3 still?

4 A Yes, you know, in training.

5 Q In training.

6 Are these like the youngest physicians for
7 example on the TV shows they depict in the hospitals
8 are the interns.

9 A Yes.

10 Q Doctor, how many years, if you could remind
11 me from your direct testimony, did you say you
12 practiced medicine?

13 A Since '69.

14 Q And I know Mr. Martin posed the difficult
15 question of whether Mr. Betancourt would pass in a
16 matter of months no matter what treatment he were or
17 were not provided.

18 What I want to ask is what condition his body
19 would be in if he were allowed to persist for another
20 few months.

21 A Things will continue to deteriorate. There would
22 be more ulcers, more pain.

23 Q What happens if this ulcer gets work. Is
24 there a stage 5?

25 A No, this is as bad as it gets.

1 Q All right, in your medical opinion, would the
2 other ulcers increase towards stage 4?

3 A The nurses will do their damndest to try and
4 prevent it. My guess is that they probably will be
5 successful. That's a guess.

6 MR. CHRONAKIS: No further questions, Your
7 Honor.

8 RE-CROSS-EXAMINATION BY MR. MARTIN:

9 Q Doctor, you, you answered counsel's question
10 by saying you would argue against, if you were having a
11 conversation with Mr. Betancourt, you would argue
12 against continuing dialysis.

13 A Yes.

14 Q You would not refuse it to that man, would
15 you?

16 A I've never had a patient in that situation, so
17 it's really hard to know for certain what I would do,
18 but I would strongly argue against it, because it would
19 be a way of prolonging his dying, prolonging his
20 suffering.

21 And every time this comes up where we have a
22 treatment that's possible, the families and patients,
23 they usually elect to suffer less.

24 Q In this case, they have not agreed with your

25 --

1 A No.

2 Q -- view of the state of affairs.

3 A Yes.

4 Q Have you ever participated in a situation
5 like this before, where a family has disagreed and
6 you've gone to court and tried to --

7 A No.

8 Q -- force the issue.

9 And this poor PGY-2, I wish I knew the poor
10 guy's name. For all you know, he's top of the class at
11 Harvard.

12 A I can answer that one. He is not, or she is not,
13 I'm not sure which it is.

14 Q Why is that? -- --

15 A Because (clearing throat) excuse me. We've never
16 had a resident from Harvard -- the attending staff, we
17 have a number of people from Harvard yeah, but not on
18 the residency staff.

19 Q Whether they're from Harvard or UMDNJ, these
20 physicians are certainly trained in how to assess a
21 patient, make observations of whether he's awake or
22 asleep.

23 A They're being trained in that. Are they finished?

24 No.

25 Q All right, Doctor, that's all I have.

1 Thanks.

2 THE COURT: I thank you, Doctor, you may
3 step down.

4 Mr. Chronakis.

5 MR. CHRONAKIS: We have another witness
6 ready, Your Honor. We have two others, but maybe we
7 can call one other at this time and then discuss --

8 MR. MARTIN: Judge, could we just -- I have
9 no problem with that.

10 Could I just have a minute to call Dr.
11 Goldstein and just give him an idea when we're going to
12 get to him which is probably after this witness?

13 THE COURT: Why don't we just take five
14 minutes, make a call, see about availability and work
15 out a schedule from there.

16 We'll take five minutes.

17 (Brief Recess from 10:46:24 to 10:57:02)

18 SERGEANT-AT-ARMS: Court's in session, remain
19 seated.

20 THE COURT: All right, Mr. Chronakis, we have
21 another witness of yours available in court?

22 MR. CHRONAKIS: Yes, Your Honor.

23 THE COURT: Mr. Martin, your telephone
24 witness still, still available?

25 MR. MARTIN: I didn't speak directly to the

1 witness, but as far as I know, yes.

2 THE COURT: Okay, we'll take, we'll take the
3 witness in the courtroom.

4 MR. CHRONAKIS: Thank you, Judge.

5 At this time Trinitas Hospital will call Dr.
6 William McHugh.

7 SERGEANT-AT-ARMS: Watch your step, please.

8 Place your left hand on the Bible and raise
9 your right.

10 W I L L I A M J. M C H U G H, M.D., DEFENDANT'S
11 WITNESS, SWORN:

12 SERGEANT-AT-ARMS: Please state your full
13 name.

14 THE WITNESS: William J. McHugh,
15 M-C- capital H-U-G-H.

16 SERGEANT-AT-ARMS: Thank you.

17 DIRECT EXAMINATION BY MR. CHRONAKIS:

18 Q Good morning, Doctor. Please tell the
19 court your educational background, college and medical
20 school?

21 A Holy Cross College, Down Street (phonetic)
22 University and Medical School in Brooklyn. I did my
23 internship and residency in the Air Force. I remained
24 in the Air Force ten years.

25 Subsequently, Medical Director at Bell Labs

1 for a couple of years, and then private practice for
2 the last 30 years.

3 Q And how long in total, Doctor, have you been
4 practicing medicine?

5 A If you include med school, 50 years now.

6 Q And what specialty do you have, if any?

7 A Internal medicine.

8 Q How are you currently employed? How are you
9 currently employed? What's your --

10 A I work four hours a day as Medical Director at the
11 Hospital and six hours a day in my private office with
12 three partners.

13 Q You're Medical Director of Trinitas Hospital?

14 A Yes, sir.

15 Q How long has, have you held that position?

16 A Four to five years.

17 Q Can you please explain your involvement with
18 Mr. Betancourt's case.

19 A My initial involvement was I was assigned to the
20 Prognosis Committee. There were some issues about his
21 remaining in the Intensive Care Unit.

22 So myself, Dr. Veiana, -- a Prognosis
23 Committee with some input from Dr. Bresher (phonetic)
24 who is Chief of the Intensive Care Unit.

25 Q Doctor what does the Prognosis Committee at

1 Trinitas Hospital do?

2 A Any nurse or doctor can ask for a prognosis
3 consult when the question of liability or likelihood of
4 success for a treatment comes up.

5 In this case, the patient was in the
6 Intensive Care Unit in a vegetative state, and normally
7 we have three or four patients waiting for Intensive
8 Care beds and the issue came up as to whether he should
9 really remain there when acutely ill people with better
10 survival possibilities were waiting for a bed.

11 Q Okay. Doctor, when is the last time you saw
12 Mr. Betancourt?

13 A I actually went to see him yesterday.

14 Q And does Trinitas Hospital keep physicians'
15 notes and patients' records in the normal course of
16 functioning the hospital?

17 A Yes, sir.

18 Q Have you reviewed those physician notes and
19 patient records related to Mr. Betancourt?

20 A The records are enormous.

21 I reviewed them last year when I did the
22 Prognosis Committee Review and I reviewed them to some
23 extent yesterday but the entire record is too
24 voluminous to read.

25 Q And with respect to your role as Medical

1 Director, how have you been involved with Mr.
2 Betancourt's case?

3 A Actually, my initial involvement through the
4 Prognosis Committee it's probably because I was Medical
5 Director and not too many people want to spend the time
6 to do that.

7 And subsequently when the issue of continued
8 treatment came up which was probably in the last month,
9 I became re-involved.

10 Q Okay.

11 What -- from your awareness what is Mr.
12 Betancourt's current diagnosis?

13 A He's in a persistent vegetative state, he's
14 diabetic, he has chronic obstructive pulmonary disease,
15 he has renal failure. He has hypertensive
16 cardiovascular disease with past congestive heart
17 failure, he has multiple major decubiti and
18 osteomyelitis of the bone.

19 Q In your professional opinion, what is the
20 outlook for Mr. Betancourt?

21 A There is no outlook. He cannot regain
22 consciousness at this state.

23 Q Now besides the life support, if you will, to
24 use a layman's term, the ventilator, the dialysis,
25 feeding tube, is there any affirmative treatment that

1 would improve Mr. Betancourt's condition?

2 A No. There's nothing possible.

3 Q In your 50 years of medical experience, have
4 you seen a patient that's been in a persistent
5 vegetative state for as long as Mr. Betancourt has,
6 improve?

7 A No. This is probably a record. I mean we deal
8 with persistent vegetative state often.

9 Usually treatment is withdrawn after several
10 days or a week of no responsiveness. It's unusual to
11 see -- I've never seen anyone go quite this long.

12 Q And in your professional medical opinion, is
13 continuation of the mechanical assistance, the
14 ventilator, the feeding tube, the dialysis, is that
15 medically appropriate in Mr. Betancourt's case?

16 A Can I comment freely?

17 This is a state that didn't exist when I
18 started in medicine. These people were dead. He's
19 neither alive nor dead at this point.

20 We have him on lung support, kidney support,
21 nutritional support, support for his recurrent
22 infectious processes.

23 We couldn't do this when I started. It's
24 kind of an artifact of modern medicine that this could
25 be continued.

1 Q In your opinion, is Mr. Betancourt's
2 condition terminal?

3 A Yes, but it may take some time. And he's been
4 terminal for the last, frankly for the last year.

5 Q What will happen between now and that time to
6 Mr. Betancourt?

7 A It depends on how much we continue to intervene.

8 Q Well let's assume things stay the way they
9 are today, you know, whatever the mechanical sustaining
10 treatment is provided. What will happen to Mr.
11 Betancourt otherwise?

12 A This could go on for quite a while. I think he'll
13 continue to deteriorate, continue to break down, he
14 will not wake up. He will not become conscious. He'll
15 basically get no better and likely slowly get worse.

16 Q And what -- Doctor, what specifically will
17 get worse?

18 A The skin will break down further. You have to
19 realize that the only organ that's functioning really
20 is his heart. Everything else is mechanically
21 supported at this time.

22 His brain is irreparably damaged. His
23 kidneys don't work. His lungs don't work. His skin is
24 broken down. I guess his liver is working, but
25 everything is irreparably damaged.

1 MR. CHRONAKIS: Your Honor, may I have a
2 moment?

3 THE COURT: Beg your pardon?

4 MR. CHRONAKIS: May I have a moment?

5 THE COURT: Oh yeah.

6 MR. CHRONAKIS: Your Honor, I have no further
7 questions at this time.

8 THE COURT: Mr. Martin.

9 CROSS-EXAMINATION BY MR. MARTIN:

10 Q Doctor, in your opinion, is any of the
11 treatment that's currently being administered to this
12 patient doing him harm?

13 A Only in the sense that we're continuing to treat a
14 hopeless situation.

15 Q Other than your opinion on that score,
16 there's nothing about the treatment that's ineffective
17 or doing harm.

18 A It all seems to be ineffective because it's not
19 getting us anywhere.

20 Q Is any of the treatment doing him harm?

21 A Yes. I think we're doing damage here.

22 Q What damage is -- what treatment is doing him
23 damage?

24 A We're allowing the man to lay in bed and really
25 deteriorate --

1 Q That's not treatment, is it?

2 A -- virtually right under our eyes.

3 Q That's not treatment, is it, Doctor?

4 A That's because of the treatment.

5 Q So your opinion is that to continue to keep
6 this man alive is doing him harm.

7 A Yes.

8 Q The fact that you need the bed didn't enter
9 into your decision, did it?

10 A No. In the Intensive Care Unit, yes, sir.

11 Q That was the motivating force --

12 A But not on the floor.

13 Q -- behind the DNR order and attempting to
14 convince the family to discontinue the treatment,
15 wasn't it?

16 A No.

17 Q Who's paying his bills?

18 A I don't know his insurance.

19 Q Do you know whether or not Medicare is
20 continuing or Medicaid is --

21 A He's in his 70s. I imagine he has Medicare. I
22 don't know what else he has.

23 Q Do you know if they're paying the bills?

24 A Don't. I doubt if the bill would go out until he
25 either passes or is discharged.

1 Q The record that you say is too voluminous to
2 read, when were you called upon to read it? What
3 stage?

4 A I think I saw him back in August and I've looked
5 at it periodically in the last week.

6 Q And why?

7 A Because I was coming to testify in the last
8 week.

9 Q Have you -- other than been sitting on this
10 committee that you've described, do you have any direct
11 involvement in his care?

12 A No, sir.

13 Q Have you directed any of his cares?

14 A No, sir.

15 Q So you've had neither hands on nor
16 participating in calling in consults or directing his
17 care in any way.

18 A No, I have not.

19 Q And you've not read his entire record.

20 A A very good part of it but I haven't read the
21 recent notes.

22 Q You've read all of the non-recent notes.

23 A Yes.

24 Q So are you aware that there are at least some
25 physicians and others in this case that take the

1 position that he does have some level of
2 responsiveness?

3 A To my knowledge, people in vegetative --

4 Q But my question was, are you aware?

5 A Yes, I am.

6 Q Have you talked to any of those people?

7 A No.

8 Q Have you talked to any of the nurses that are
9 caring for him?

10 A Yes.

11 Q Have you talked to any of the family members?

12 A No.

13 Q Have you talked to this PGY-2, this poor
14 gentleman --

15 A I don't know who that was. I might have.

16 Q Well do you recall speaking to someone who
17 described in the record that he's found this patient on
18 multiple occasions to be awake?

19 A Wakefulness --

20 Q Doctor, have you spoken to this particular
21 physician?

22 A No, I have not spoken to anyone who said he was
23 awake.

24 Q So you have not called into question this
25 physician's observations.

1 A No, I would not.

2 Q And have you spoken to the physician that
3 indicated that on several occasions that this, that he
4 was observably responsive to verbal or verbal
5 stimulus?

6 A No, I have not.

7 Q Have you spoken to anyone who's entered into
8 the record his observation that he was responsive to
9 touch?

10 A He withdraws.

11 Q I asked you doctor whether or not you've
12 spoken to the individual who's made those observations?

13 A No, because I'm not sure who made those
14 observations.

15 Q Because you have not read the entire record.

16 A No, I've spoken to nine doctors on the case.

17 Q Doctor, the physicians -- some of the
18 physicians that have signed affidavits or
19 certifications in this case are of the opinion that
20 regardless if this treatment, all of the current
21 treatment is continued, this poor gentleman is going to
22 expire in a matter of months. Do you share that
23 opinion?

24 A Only in the broader sense. I'm not sure it's a
25 matter of months.

1 I think he will expire of this condition, but
2 it may take quite a while. They've been successful for
3 the last year in keeping him going.

4 Q And he's fought right along, hasn't he? He's
5 had all sorts of horrific treatments that he's managed
6 to endure and he continues to thrive, survive rather.
7 Correct?

8 A He's not fighting. He's just being treated.
9 They're fighting.

10 Q Well he survived longer than any patient I
11 think you said you've ever seen.

12 A Longer than anyone I have ever seen.

13 Q This question is not meant to be offensive so
14 please don't take it that way. I don't know how else
15 to phrase it.

16 Why are you here? What is your role in this
17 particular proceeding?

18 A I think my initial involvement was with the
19 Prognosis Committee. I was asked to testify by the
20 hospital lawyer. And that's about it.

21 Q Have you participated at all in trying to
22 place this patient in another facility?

23 A No. Those attempts go on regularly. They're done
24 by Social Service.

25 Q And in this particular case, have those

1 attempts been ongoing for some time?

2 A Yes.

3 Q And there's been difficulty, has there not,
4 finding a facility that's capable of taking a dialysis
5 and ventilator-dependent patient. Is that not true?

6 A I don't know of any in Jersey. I've been told
7 there's one in New York.

8 Q And is it after that, what's found to be the
9 state of facts that the hospital decided that perhaps
10 it was time to terminate his treatment?

11 A No.

12 In fact, I doubt if anyone in administration
13 is aware of, you know, the social service work.

14 Q Well.

15 A That's ongoing, sorry. They continue their work.

16 Q His treating physicians would certainly be
17 aware that there were attempts to transfer him to some
18 other facility, wouldn't they?

19 A I would think so.

20 Q And many of his treating physicians are part
21 of the administration, aren't they? Director of
22 Medicine, Director of Cardiology, Director of
23 Nephrology.

24 A The Director of Nephrology has nothing to do with
25 the hospital.

1 Q Well.

2 A The nephrologists get together and appoint a
3 director. It has nothing to do with the hospital.
4 There's no salary. There's no --

5 Q Leaving the nephrologist out of it, many of
6 these physicians are intimately involved in the
7 higher levels of the hospital administration, aren't
8 they?

9 A Actually no. None of them are administrators.

10 Q Is the Director of Cardiology --

11 A They work for the teaching --

12 Q -- up there in the scheme of things?

13 A He never comes to or is invited to administrative
14 meetings. He's a teaching physician.

15 So I wouldn't consider him an administrator.
16 His job is to teach cardiology. He runs a private
17 practice.

18 Q So you're saying then, Doctor, that there are
19 attempts to transfer this patient to some other
20 facility, and nobody in the administration of the
21 hospital are either aware of it or participating in
22 it?

23 A The social service workers do their job on a
24 regular basis. I'm sure administration is aware --
25 well they know he's still there. So obviously no place

1 was found.

2 Would they liked to have transferred? I'm
3 sure they would. He's been there quite a while.

4 Q And has he been stable for transfer since the
5 middle and late January?

6 A He could probably be transferred.

7 Q And if he were transferred, it would be to a
8 facility that had to be capable of administering both
9 dialysis and continuing the vent.

10 A Yes.

11 Q Okay.

12 MR. MARTIN: That's all I have for him.

13 MR. CHRONAKIS: Your Honor, the hospital has
14 no further questions.

15 THE COURT: Thank you, Doctor.

16 THE WITNESS: Thanks, Your Honor.

17 MR. CHRONAKIS: Your Honor, we have another
18 witness ready, but I defer to the court as I would like
19 to proceed. But certainly if we can get the physicians
20 back to the hospital.

21 THE COURT: That's fine.

22 MR. MARTIN: As far as I know, my -- Dr.
23 Goldstein is back in his office. So it's not critical
24 that we get him at a particular time.

25 THE COURT: All right, we'll take the

1 witnesses here then so they can get back.

2 MR. CHRONAKIS: Your Honor, we would call
3 Dr. Bernard Schanzer to the stand, please.

4 SERGEANT-AT-ARMS: Remain standing.

5 Place your left hand on the Bible and raise
6 your right.

7 B E R N A R D S C H A N Z E R, DEFENDANT'S WITNESS,

8 SWORN:

9 SERGEANT-AT-ARMS: Please state your full
10 name.

11 THE WITNESS: Bernard Schanzer,

12 S-C-H-A-N-Z-E-R.

13 DIRECT EXAMINATION BY MR. CHRONAKIS:

14 Q Good morning Doctor. Can you tell the court
15 about your education, please.

16 A I went to City College. After that, I went to the
17 University of Brussels in Belgium. I did a residency
18 in Internal Medicine, and then I was in the service.
19 And then I completed my residency in neurology.

20 Q You are a neurologist.

21 A Correct.

22 Q And how long have you been practicing
23 medicine that focused on neurology?

24 A Thirty-nine (39) years.

25 Q Thirty-nine (39) years. Are you familiar

1 with Mr. Betancourt who is the subject of today's
2 hearing?

3 A Yes.

4 Q And how did you -- well, I'm sorry. Strike
5 that.

6 What's your current employment status?

7 A I'm in private practice within a group and we
8 practice in the area.

9 Q Okay, what's your relationship with Trinitas
10 Hospital?

11 A I am the Chief of Neurology at Trinitas.

12 Q Okay, and how long have you held that
13 position?

14 A Thirty (30) years. -- --

15 Q Um.

16 A Nobody else wants the job.

17 Q Doctor, how did you become familiar with Mr.
18 Betancourt?

19 A As part of our group, we -- our neurologists were
20 affiliated with Trinitas and also with Rahway Hospital
21 and after the tragic event that occurred to Mr.
22 Betancourt, we were asked to see him in consultation
23 and the initial consultation was, I think, last year,
24 by one of my partners, Dr. Cow (phonetic), who saw him
25 after he had had a anoxic event.

1 That's where he had been extubated and
2 developed a, went into coma.

3 Q Were you asked to conduct a neurological
4 consultation after that?

5 A We've seen him periodically and the last time I
6 saw him was last December.

7 Q And when would you say the first time you saw
8 him was, Doctor?

9 A Possibly last January after my partner had seen
10 him initially and after he saw him subsequently on
11 several occasions.

12 Q Have you ever spoken with Mr. Betancourt's
13 family?

14 A Yes.

15 Q Do you remember who?

16 A As part of the Prognosis Committee and the last
17 time at the urging of the Director of Affiliated
18 (phonetic) Care, where the family, there was a family
19 meeting in which I was present.

20 Q Okay.

21 What's your -- you know, please describe your
22 relationship with Mr. Betancourt's family.

23 A This is a very difficult situation for all of us
24 who are concerned here.

25 And in terms of my relationship with them, I

1 would go and see him and unfortunately we did not have
2 any good news, and the last time that I saw them in
3 December, which was the first time that they made me
4 aware that they thought that we were terrorizing them
5 when they saw me, because whenever I would see them,
6 I'd give them bad news.

7 And, you know, unfortunately, it's a very
8 bad situation and you know, I really felt very bad
9 about that, you know, because our intent as physicians
10 is to inform people and you know, to alleviate, if we
11 can, suffering and pain.

12 You know, obviously there are suffering and I
13 think the family is suffering. The patient is in a
14 vegetative state. I don't think that he is aware of
15 any, of his environment and he's not in any pain. But
16 the family is in a great deal of pain.

17 And after that last session, you know, we had
18 made recommendations at that time, that you know, they
19 would -- they should either seek outside opinions, if
20 possible, you know, just to reassure them that what was
21 being done was fair and in the best interest of all
22 concerned.

23 Q Thank you, Doctor.

24 Can you describe for the court your findings
25 and conclusions from your neurological evaluations with

1 Mr. Betancourt?

2 A I don't have my last note. If anybody has it, it
3 was probably in December, in December of '08.

4 Q Doctor, I have part of the medical record
5 that was produced in this case which is a consultation
6 record from you but I believe it's a July 2008
7 consultation. Would that be of any assistance?

8 A Right, because his status really has not changed
9 significantly.

10 Q Do you feel that you would be able to recall
11 more about your neurological evaluation if you were
12 able to see these documents?

13 A It would help.

14 MR. CHRONAKIS: Your Honor, may I --

15 MR. MARTIN: I don't have an objection to
16 refreshing his recollection.

17 THE COURT: Show the Doctor, then.

18 MR. CHRONAKIS: Yes, July 16.

19 THE WITNESS: So that --

20 BY MR. CHRONAKIS:

21 Q Well Doctor, after you've had an opportunity
22 to read it, let me know when you're done.

23 (Doctor is reading over his notes)

24 A Yes.

25 Q Doctor, does reading your notes from July,

1 2008, does that refresh your recollection of your
2 findings as to Mr. Betancourt's neurological
3 condition?

4 A Yes.

5 Q Okay, and can you describe for the court what
6 that is.

7 A I felt that he was in a vegetative state, and I
8 think that as was mentioned before, he's been in a
9 persistent vegetative state.

10 And at this point, looking at a year after,
11 we can say that he's in a permanent vegetative
12 state.

13 And you know what is the difference?

14 A vegetative state is somebody who's unaware
15 of self and of his environment.

16 It become persistent by definition if it
17 lasts for more than a month.

18 And then the question comes in as to in terms
19 of prognosis. So that when we talk about a permanent
20 vegetative state, then we're making a statement of
21 prognosis beyond the descriptive term of the patient's
22 condition.

23 So that at this point, he's in a permanent
24 vegetative state having continued to be this for over a
25 year.

1 Q Doctor, in your 39 years as a neurologist,
2 have you seen a patient in a vegetative state for a
3 year whose condition has improved?

4 A No.

5 Q Now there's been some testimony and some
6 dispute, if you will, about whether Mr. Betancourt is
7 awake at points.

8 What's your opinion as a neurologist?

9 A So that by definition he's awake, but he's not
10 alert.

11 Q All right, and what does that --

12 A Or that there's no awareness and that's important.
13 He's got some brain stem function.

14 If he did not have any brain stem function,
15 which is the criteria for awakeness, he would not be
16 able to sustain any type of survival, okay, would have
17 remained in a coma.

18 The fact that he's gone into a vegetative
19 state, indicates that there's been -- that there's some
20 brain stem function, and this is part of the function
21 that remains.

22 Q When Mr. Betancourt is awake as you've
23 defined it, is he able to respond to verbal
24 stimulus?

25 A No.

1 Q Is he able to speak?

2 A No.

3 Q When Mr. Betancourt is awake in your terms,
4 does he respond to pain?

5 A There are some reflex responses to pain. There
6 are no awareness of, as far as we can tell, of
7 pain.

8 Q And from a neurological prospective, what's
9 the difference between those two, between a reflex
10 response and an awareness of pain?

11 A The very fact that you have brain stem function,
12 right, you have some basic reflexes which are still
13 present.

14 For example, I described a sucking response
15 when I saw him in July which is a reflex response that
16 if you apply something -- something about his lips, for
17 example a child when you apply a nipple or, it will
18 suck on it. So that this is a very basic reflex and it
19 does not have anything to do with consciousness.

20 Q Does this sucking sound or the sucking reflex
21 or the awareness of pain, or excuse me, the reflex
22 response to pain, does that indicate that Mr.
23 Betancourt may be improving?

24 A It's not -- he's not improving. He's -- you know,
25 the important question here is, you know, is that this

1 is a status. Time is the best guide as to what's
2 happening to him, and he's been in this state for a
3 year. There has been no improvement and the chances of
4 his improving, you know, coming back to a cognizant
5 sleeping (phonetic - accent) state are nill.

6 There are reported cases of somebody coming
7 out and awakening after ten years. And all of these
8 events have to be investigated.

9 But in our experience and, you know, this is
10 not -- nothing is 100 percent. When you say
11 probability we're talking about 90 percent, 95 percent,
12 99 percent. In this case, 99.9 percent the probability
13 of his ever coming back to a cognitive state are
14 nill.

15 Q How does Mr. Betancourt respond to touch?

16 A When I examined him in July, and as to this note,
17 I applied pressure to his nailbed which is a
18 significant stimulus and a pain stimulus, there was no
19 response.

20 So but at times you may find that there may
21 be some withdrawal, right, but these are again basic
22 reflexes that you may see.

23 As an example, somebody who's brain dead and
24 that's considered, and this is not the case here. But
25 somebody who is brain dead may have some basic spinal

1 reflexes. That does not mean that that patient is
2 alive or has a prognosis of coming back, because in New
3 Jersey at least, brain dead is considered dead. But
4 you can still see some spinal reflexes being present.
5 So that this is not a significant factor here.

6 Q I want to go back, if you will, Doctor, to
7 the discussion about whether Mr. Betancourt is awake.

8 First of all, just to put it in lay person's
9 terms, is Mr. Betancourt permanently unconscious?

10 A At this point, at this point, he is in a permanent
11 vegetative state and by this we mean that he has
12 sustained significant injury to his cortical part of
13 the brain. That's the thinking brain. That's the part
14 of the brain that makes you aware, makes you, makes us
15 look at each other, talk to each other, communication
16 with each other, and that has been irreversibly
17 damaged.

18 Q Doctor, when you say he's awake but not
19 alert, does that have any correlation with Mr.
20 Betancourt waking up?

21 A By awake, we mean that there's, you know, a -- he
22 will open his eyes, for example. All right.

23 But that doesn't mean that he sees or I mean
24 maybe he sees, but there's no appreciation of his
25 environment. There's no appreciation of self.

1 Q Now, Doctor there was a review of Mr.
2 Betancourt's medical record in which a second year
3 post-graduate resident or intern at the hospital made a
4 notation on his chart that Mr. Betancourt was awake.

5 Are you able to give an opinion on what this
6 would mean in the context of neurology?

7 MR. MARTIN: I object. Judge, we're asking
8 him now to interpret what someone else meant by that
9 observation.

10 THE COURT: I'm going to sustain the
11 objection as to what somebody else meant by the word
12 "awake." Perhaps the doctor has -- if he were to use
13 the word "awake" what he would mean but --

14 MR. CHRONAKIS: Your Honor, I feel like we
15 went through a series of questions in which Mr. Martin
16 was able to pose that exact line of inquiry to Dr.
17 Millman, that is, what does this mean here? Why would
18 this person say this? I'm only asking to receive the
19 same latitude that the court previously granted.

20 THE COURT: I'll -- sustaining the objection.

21 BY MR. CHRONAKIS:

22 Q Doctor, what would you understand if you saw
23 Mr. Betancourt's chart and there was a notation by a
24 PGY-2 that indicated that Mr. Betancourt was awake?

25 MR. MARTIN: I'm objecting to it. Perhaps

1 the question should be is there some medical
2 significance to the term "awake," or asking his opinion
3 on what it would mean to him.

4 THE COURT: Well I think time it was phrased
5 what it would mean to him but --

6 MR. MARTIN: But we're still asking what this
7 particular author meant when he --

8 THE COURT: What does the word "awake" mean
9 to this witness, and I'll allow him to answer that.

10 THE WITNESS: What -- you know, what
11 somebody else sees and observe and the term that we use
12 sometimes are really vague, so that, and I would hope I
13 was not one of the preceptors, you know, you have two
14 eyes. You know, when somebody says he's awake, what
15 did you see? You know, you say somebody's awake. What
16 does that mean? Right. So I really am not sure. Did
17 he -- you know, he walked in, he saw something.

18 MR. MARTIN: Again, he's reading the mind of
19 the author. What does "awake" mean to you, Doctor?

20 THE COURT: The question, Doctor, is what
21 does --

22 THE WITNESS: To me, awake is somebody who
23 opens his eyes.

24 BY MR. CHRONAKIS:

25 Q And with seeing the reference in Mr.

1 Betancourt's medical chart to someone observing him as
2 "awake," would that contradict your assessment of Mr.
3 Betancourt?

4 A No.

5 MR. CHRONAKIS: May I have a moment, Judge?

6 THE COURT: Yeah.

7 MR. CHRONAKIS: Your Honor, no further
8 questions at this time.

9 THE COURT: Mr. Martin.

10 CROSS-EXAMINATION BY MR. MARTIN:

11 Q Doctor, I, I'm sorry. I have your note. I
12 think you may have it in front of you. But the note of
13 7/16/08, it's called a consultation report, and I take
14 it when you see the patient, you were asked to see the
15 patient.

16 A Yes.

17 Q Because your specialty was unavailable, the
18 other physicians, you obtained a history, and I take it
19 you got that from the chart because you weren't able to
20 communicate with the patient.

21 A Correct.

22 Q And you did a neurological exam.

23 A Correct.

24 Q How long were you in this patient's
25 presence?

1 A Fifteen, twenty minutes.

2 Q Did you speak to the family at that point?

3 A I have no recollection if I did.

4 Q I was unable to find another consultation
5 note from you. That doesn't mean that it's not there
6 because everybody's described the hospital records as
7 three feet high. But --

8 A So you haven't read it either.

9 Q Pardon?

10 A So you haven't read the chart either.

11 Q But you did mention that you saw him in
12 December.

13 A Yes.

14 Q And would you have made a consultation note?
15 Should there be a note in December somewhere?

16 A There should be a note in the chart, yes.

17 Q Any other notes other than those two?

18 A And there were some other notes by my partners.

19 Q How many times have you personally seen this
20 patient?

21 A The last time was in December.

22 Q Would that be the second time since this
23 admission in July?

24 A I may have seen him another few times. But --

25 Q And on those occasions, did you make notes?

1 A Every time that I would have seen him, I would
2 have made a note.

3 Q So then since July you may have seen him how
4 many times?

5 A Maybe two or three times.

6 Q Now you've also indicated you talked to the
7 family.

8 A We had a meeting with the family, with some
9 members of the family in December.

10 Q Have you talked to them before that at
11 all?

12 A I had spoken to them because there had been a
13 previous meeting also with the Affiliated Care
14 Committee and we had spoken to them. So that we have
15 spoken to them on more than one occasion.

16 Q On that previous meeting, the meeting before
17 December, did that -- was the subject matter of that
18 meeting terminating life support?

19 A It was -- from my -- my input, my input is not to
20 terminate or not terminate life support but to inform
21 them as to the condition of the patient.

22 Q Was the purpose of meeting, was one aim or
23 the topic of the meeting, was that the subject of
24 discussion?

25 A From the patient about their choices and that

1 there are -- and what the prognosis was.

2 Q Did the family make it clear that their
3 wishes were not to terminate?

4 A Correct.

5 Q And have you discussed with the family their
6 impressions of the observations they've made of their
7 husband and father?

8 A As of our last meeting, I don't remember. I
9 remember their opinion of what they wanted, but not as
10 to their observations.

11 Q They expressed to you, for instance, that
12 they believe that Mr. Betancourt does respond to
13 certain verbal stimuli.

14 A This is -- unfortunately the misconception that
15 people may have --

16 Q Have they expressed that?

17 A Wait, wait.

18 Q No, no.

19 A You asked me a question. Let me answer it.

20 Q No, you're not answering it so I would object
21 to your answer.

22 THE COURT: The question is what did the
23 family say?

24 BY MR. MARTIN:

25 Q Have they expressed that to you?

1 THE COURT: Whether you agree with it or not,
2 it's a question of what did they say.

3 THE WITNESS: Can you ask your question
4 again then?

5 BY MR. MARTIN:

6 Q Has the family expressed to you that they
7 believe that Mr. Betancourt does respond to verbal
8 stimuli?

9 MR. CHRONAKIS: Objection, Judge.

10 THE WITNESS: Okay, I don't remember.

11 MR. CHRONAKIS: Doctor.

12 THE WITNESS: I don't remember.

13 MR. CHRONAKIS: It's either this will be
14 discarded as hearsay and the family can come testify or
15 the doctor should be allowed to testify to the content
16 of the conversation, not limited to a yes or no that
17 allows Mr. Martin to put in the family's testimony.

18 MR. MARTIN: Judge, he wasn't responding to
19 the content of the conversation. He was about to
20 express his views or explanation for what he thinks I'm
21 getting at. That's --

22 MR. CHRONAKIS: Judge, the question provides
23 the content of the conversation. That's why it's
24 either hearsay or should be allowed a full response.

25 MR. MARTIN: First of all, it's not hearsay.

1 Secondly, the family -- I fully intend to put the
2 family on, they would have testified to all of this by
3 now.

4 THE COURT: With that, I will allow the
5 answer.

6 BY MR. MARTIN:

7 Q And your answer was you don't recall.

8 A Correct.

9 Q You say in your note that he has no response
10 to pain.

11 A Correct.

12 Q Meaning that when you attempt to inflict
13 some, in this case you squeezed his fingernail bed.

14 A Right.

15 Q Which would ordinarily elicit a pain
16 response.

17 A You could try it on yourself. Take a pin and
18 apply pressure to your nailbed. It's a significant
19 stimulus.

20 Q And he had no response to that.

21 A Correct.

22 Q Doctor, in your opinion, he's not
23 experiencing pain.

24 A There is a reflex to pain and there may be and I
25 did not get a response when I saw him then, but his

1 perception of pain, he has no perception of pain. So
2 it may induce a reflex, but they are not -- and at the
3 time when I (inaudible) him, it did not induce any
4 response. And by this I meant a reflex response.

5 Q It means he doesn't feel pain, is that what
6 you're saying?

7 A And there's no perception of pain, correct.

8 Q Okay.

9 What kind of treatment are you administering,
10 what specific treatment?

11 A In this case, prognosis.

12 Q All right, so you're not actively directing
13 any --

14 A Correct.

15 Q -- of his day-to-day care.

16 A Correct.

17 Q And your observations of this patient's care
18 would be limited to those visits that you made.

19 A Correct.

20 Q During the 15 or 20 minutes that you spend
21 examining him.

22 A Correct.

23 Q And at some point in time, you came to the
24 conclusion that he's in a permanent vegetative state,
25 correct?

1 A Well I said persistent. At this point, I'm saying
2 permanent, permanent vegetative state.

3 Q Persistent and permanent are interchangeable.

4 A No, they're not.

5 Q What's the difference then?

6 A Persistent is a description of what's happening.

7 Permanent is a prognosis, a prognosis statement.

8 Q Okay -- understand that there are
9 progressions, that patients oftentimes progress from a
10 vegetative state to a persistent vegetative state,
11 correct?

12 A Well, if the vegetative state persists, okay, for
13 over a month, then it becomes persistent.

14 Q So after a period of time that a patient is
15 deemed to be in a vegetative state, after the
16 expiration of some period of time, whether it be a
17 month or somewhat longer, it's then deemed to be
18 persistent.

19 A Correct.

20 Q And persistent, does that mean irreversible?

21 A Persistent does not mean irreversible.

22 Once it becomes permanent, then in our
23 experience, then it becomes permanent. In other words,
24 irreversible.

25 Q What's the difference between persistent and

1 permanent?

2 A Persistent is that yes some people have awakened
3 from persistent vegetative states. From permanent,
4 probably, in our experience, none.

5 Q This hospital record or some of the
6 affidavits and if you care, I'll show them to you,
7 describe this patient as being in a persistent
8 vegetative state.

9 A Correct.

10 Q Would you agree with that?

11 A Yes.

12 Q Okay.

13 Now have there been documented cases of
14 people emerging from persistent vegetative states?

15 A Yes.

16 Q They are few and far between, correct?

17 A Correct.

18 Q But nonetheless there are records of that
19 occurring.

20 A Correct.

21 Q And I take it on some occasions that may a
22 result of a misdiagnosis.

23 A Correct.

24 Q And would that mean to correctly to assume
25 that there are occasions when neurologists perhaps will

1 examine the same patient and reach different
2 conclusions as to the state of that client
3 neurologically?

4 A They may.

5 Q So are we saying, Doctor, that whether we use
6 the term permanent or persistent, really it's a
7 prediction.

8 A No. No. I'm going to repeat myself, okay.
9 Permanent means after a period of time. In this
10 instance, after a year's time we have somebody who's
11 been in a persistent vegetative state and now he's in a
12 permanent state.

13 The chances of this becoming reversible are
14 nill.

15 Q Yet there have been people who have come out
16 of it after many more years than this patient has been
17 in that condition?

18 A Correct.

19 Q Correct?

20 A There is hearsay and, you know, the anecdotal
21 events but --

22 Q Well there are documented events in the
23 literature.

24 A Correct.

25 Q And we're talking about patients that have

1 been in a persistent vegetative state much longer than
2 Mr. Betancourt, correct?

3 A Correct.

4 Q And --

5 A Did they say --

6 Q I conclude from that --

7 A I'm sorry.

8 Q -- because that prediction, that estimation,
9 whatever the prognostication, is not -- you can't be
10 absolute, can you?

11 A I can be absolute that somebody who's been in a
12 persistent vegetative state will remain in a persistent
13 vegetative state and it will be permanent. They may
14 survive in that vegetative state and the question is,
15 do you want to continue that?

16 But in terms of prognosis, in terms of what
17 to do, right, you've gotta be aware of that. You
18 cannot say you know, he's in a persistent vegetative
19 state after one year and he's going go out, get up and
20 walk and talk.

21 You have to realize that this patient is in a
22 permanent vegetative state at this point and this is
23 where you're at and you have to decide what you want to
24 do.

25 Q And that is your opinion that he is in

1 permanent vegetative state and will not respond,
2 correct?

3 A Correct.

4 Q And in those other documented cases where the
5 patient has emerged from that condition, there were
6 physicians who enjoyed the same opinion as you in those
7 circumstances, correct?

8 A Wouldn't -- now --

9 Q Can you answer that yes or no.

10 A No, I cannot say yes or no because I've got to
11 clarify, I wish to clarify.

12 Q The question is this.

13 Have there been neurologists involved in the
14 treatment or care of those patients who have shared
15 your opinion, that that particular patient would never
16 emerge?

17 MR. CHRONAKIS: Objection, Judge. I don't
18 know that Doctor, excuse me.

19 THE WITNESS: Schanzer.

20 MR. CHRONAKIS: Yeah, Schanzer. Thank you,
21 sir, is here to qualify --

22 THE COURT: I'm going to sustain the
23 objection. I don't know that he's not testified that
24 he's familiar with the facts and details of those other
25 cases.

1 BY MR. MARTIN:

2 Q Are you? Are you? I mean these are
3 documented cases. I can go to the literature today and
4 find them, correct?

5 A Yes, you may, and there is a case of someone who
6 stayed in a persistent vegetative state for 25 years.

7 Q In that particular -- you're familiar with
8 that case.

9 A It was a case that was followed by Dr. Posner for
10 -- who's a very well-known neurologist. But that
11 patient stayed in a permanent vegetative state.

12 Q And emerged.

13 A He did not emerge. He remained in that state.

14 Q I'm asking you about cases, documented cases,
15 where the patient was in that condition and emerged
16 from that condition.

17 A The -- I don't know any of those cases which have
18 been well-documented.

19 Q Okay.

20 Those patients who have emerged from that
21 condition, some of them have done it spontaneously,
22 some of them have done it gradually.

23 A You're talking about spectrum and it depends when.
24 We know that people who have been in a vegetative state
25 who have awakened from it. But that's usually most of

1 the time with trauma, with children, and not going on
2 this length of time.

3 In this condition, and --

4 Q I'm sorry. With due respect, I didn't ask
5 you that.

6 A No.

7 Q I didn't ask you anything other than do
8 patients who emerge sometimes spontaneous and sometimes
9 it's gradual.

10 A It may be either.

11 Q Okay.

12 So in those cases where it's gradual, what
13 period of time -- when does the recovery process begin?
14 Is there some predictable length of time that they will
15 gradually emerge?

16 A It depends on several factors, what was the cause
17 of the persistent vegetative state and how much injury
18 has occurred.

19 Q Is this patient stable neurologically?

20 A What do you mean by stable neurologically?

21 Q Is the condition worsening, improving or
22 remaining the same?

23 A As of July, and my recollection is the evaluation
24 in December, there have been no change neurologically.

25 Q You may not be able to answer this. If you

1 can't, tell me.

2 In a given month, how many medical
3 professionals, and I'm including in that, physicians,
4 nurses, technologists, nurses aides, et cetera, how
5 many medical professionals come in contact with this
6 patient? Do you have any idea?

7 A I have no idea.

8 Q In a given day. There are three shifts. How
9 many per shift? Any idea?

10 A I think probably like three to four per shift.
11 There are three shifts. Multiply it, right.

12 Q Three or four nurses.

13 A Right.

14 Q Then we have residents. We have consulting
15 physicians, attending physicians, et cetera, right.

16 A (No verbal response).

17 Q You have to say verbally just so it comes
18 out.

19 A Yes.

20 Q Okay.

21 Would I be correct in assuming that nurses
22 and residents who see the patient more frequently, do
23 see the patient more frequently than perhaps you as a
24 consulting neurologist?

25 A Correct.

1 Q You indicated that this patient does not
2 react to verbal stimulus.

3 A Correct.

4 Q Cannot speak or communicate.

5 A Correct.

6 Q And is that because of the vent?

7 A No. Because there are people who are on the vent
8 who can communicate.

9 Q If one of the nurses charged with this man's
10 care says that he cannot always communicate, how would
11 you -- would you agree with that?

12 A I would say re-evaluate the patient.

13 Q I'm sorry.

14 A I would say re-evaluate the patient. It does not
15 make sense.

16 Q So suggesting that the patient may
17 communicate, you would disagree with that.

18 A Correct.

19 Q And there are different ways of communicating
20 either --

21 A Right.

22 Q -- verbally or with eye contact or movement,
23 et cetera, correct?

24 A I think that, you know, you could answer the
25 question by simply going to the patient's bedside and

1 not only I but all of you would be convinced.

2 Q Well this person apparently wasn't. So I'm
3 just asking you whether or not -- in your opinion
4 that's not possible.

5 A I cannot comment on anybody else's observation.

6 Q Have you ever talked to Mrs. Betancourt about
7 any response her husband may have when she's present?

8 A We went through with that once more and I don't
9 remember any specific communication.

10 Q Doctor, just bear with me a minute. I think
11 I'm finished.

12 Doctor last question.

13 The fact that in your opinion he's in a
14 permanent vegetative state does not translate to brain
15 death, does it?

16 A Correct.

17 Q Okay. That's all I have, thanks.

18 REDIRECT EXAMINATION BY MR. CHRONAKIS:

19 Q Doctor, since the first time you evaluated
20 Mr. Betancourt in 2008, has his neurological activity
21 changed in your assessment?

22 A There has been no improvement in his neurological
23 status.

24 Q Now I believe we're going to hear testimony
25 that people who have visited, strike that actually.

1 Doctor if your neurological evaluation is
2 inconsistent with a nurse's, who usually wins out in
3 that conflict?

4 A You know, I'm responsible for my observations and
5 our training is a little different so that you know
6 I've seen people who are totally unresponsive and
7 somebody has routinely written awake and alert. And,
8 you know, that's you smile and you, you know, go on.

9 Q Doctor, I believe we're going to hear
10 testimony and understandably convinced and convincing
11 testimony, if you will, that members of the patient's
12 family have seen Mr. Betancourt let's say respond to
13 music or maybe move when music is played.

14 Are you able to explain something like that
15 consistent with your evaluation?

16 A In a vegetative state there may be some responses
17 but they are not purposeful, they're not repetitive so
18 that if you're going to make a sound, then the eyes are
19 going to open. But this is a reflex response. This is
20 not a cognitive response.

21 Q What about if somebody walked into Mr.
22 Betancourt's room and yelled, "Dad" or something to get
23 Mr. Betancourt's attention while he's in the condition
24 he's in now and his eyes moved, you know, towards the
25 speaker's voice, how would you reconcile that with your

1 assessment?

2 A Very often patients who are in a vegetative state,
3 and this is the mistake that is often made and I think
4 by the nursing staff, the PGY-2, is that you say
5 something and there's a response that occurs. The eyes
6 open and you say you think that there is cognition.
7 These are really reflex responses, reflex responses to
8 sound.

9 Which if you hear a bell and the telephone
10 rings, you wake up, you go and you answer the phone.
11 You're able to carry on a conversation.

12 In this situation, what you hear maybe is the
13 bell, but there's no awareness that the telephone rang
14 and there's no ability even if you could talk, okay, if
15 he wasn't on a vent, if he didn't have a trach, he
16 still would not be able to carry on a conversation.

17 Q Doctor, Mr. Martin asked you some questions
18 regarding other cases of patients in persistent
19 vegetative states. Are you aware of the medical
20 history of any of those patients to whom Mr. Martin was
21 referring?

22 MR. MARTIN: He's already testified that he's
23 not aware of them.

24 THE WITNESS: No.

25 MR. MARTIN: He hasn't read the case studies.

1 BY MR. CHRONAKIS:

2 Q In your medical opinion, if a patient were in
3 a persistent vegetative state who couldn't eat on his
4 own, couldn't breathe on his own, couldn't expel waste
5 on his own, whose skin was breaking down, is this the
6 type of case where the patient would then awake from
7 the persistent vegetative state in your opinion?

8 MR. MARTIN: Object, Judge. Same thing. If
9 he doesn't know the condition of those patients that
10 have emerged, then how can he answer?

11 MR. CHRONAKIS: Judge, this is not one of the
12 supposedly documented but undocumented for this hearing
13 cases that Mr. Martin referred to.

14 I'm asking in his opinion of a separate
15 patient in a persistent vegetative state.

16 MR. MARTIN: Well then that's been asked and
17 answered as to this patient. We can't get any more
18 conclusive than that.

19 THE COURT: I think that's -- we've covered
20 that. He has answered that.

21 MR. CHRONAKIS: Judge, I have no further
22 questions at this time.

23 MR. MARTIN: I just have one, Doctor.

24 RECROSS-EXAMINATION BY MR. MARTIN:

25 Q You were, you were just talking about reflex

1 responses. Earlier you said they are not repetitive,
2 they are not purposeful. That's why you describe them
3 as reflex, neurologically reflex responses,
4 correct?

5 A Correct.

6 Q Okay. If they were repetitive, they would be
7 something other than that, wouldn't that?

8 A Well then they would become purposeful. If you
9 see that occurring then they may be significant.

10 Q Okay.

11 MR. MARTIN: That's all I have. Thanks.

12 MR. CHRONAKIS: Thank you, Doctor.

13 THE WITNESS: Thank you.

14 THE COURT: Thank you.

15 All right, I'd like to figure out for a
16 moment where we are schedule-wise.

17 You still have your witness.

18 MR. MARTIN: We can call him.

19 MR. CHRONAKIS: I have Dr. Veiana from the
20 hospital here in the Courtroom. I would think it's a
21 fairly short testimony on the level of Dr. McHugh's,
22 the previous witness.

23 MR. MARTIN: That's fine.

24 THE COURT: Well, my concern is I have
25 available this morning for you and there is something

1 scheduled this afternoon.

2 I know you talked about having a witness
3 available but not until the latter part of the week,
4 till after Wednesday.

5 MR. MARTIN: Yes, Your Honor. Yes.

6 THE COURT: And I'm looking at my schedule as
7 to when I can next fit you in and it's looking like
8 Monday.

9 I'm just -- I hear that we have family
10 members. You have a doctor that we're going to be
11 doing on -- after Wednesday, another doctor to do for
12 the plaintiff and we have a physician in the courtroom.
13 So I'm just trying to figure out scheduling everybody,
14 getting everybody in.

15 I'm assuming the family could be available
16 some day other than today.

17 MR. MARTIN: Yes.

18 THE COURT: Okay.

19 Now the defense has -- your witness is after
20 Wednesday.

21 MR. CHRONAKIS: We have one after Wednesday.
22 We have one who is ready here.

23 THE COURT: How about Mr. Martin?

24 MR. MARTIN: Cover by phone and that is I was
25 supposed to start a trial in Middlesex today. They're

1 holding it until tomorrow.

2 THE COURT: Uh hum.

3 MR. MARTIN: If I start -- it's a medical
4 malpractice case. It'll go about three weeks, two
5 weeks.

6 And then they're holding a case in Trenton
7 for me. It's supposed to start Monday after that.

8 The Middlesex judges are nice people. I just
9 have to talk to them.

10 THE COURT: Beg your pardon?

11 MR. MARTIN: I said they're generally nice
12 people who will work with you. I could probably ask
13 them to have Monday morning off or something but I just
14 need to find out what judge I'm assigned to and work
15 with him or her.

16 The Trenton judges are totally unreasonable,
17 but the Middlesex people are okay.

18 THE COURT: (Laughter). We're going to put
19 this together one other time. I guess we just need to
20 pick out when that's going to be. And like I said, for
21 me, Monday's looking good.

22 MR. MARTIN: I just need to maybe over the
23 lunch hour, the break, whatever, if I can find out who
24 the judge is, I can call and say can I have Monday
25 morning off. They generally accommodate us.

1 THE COURT: Okay. We need probably a couple
2 more hours.

3 MR. CHRONAKIS: Most of that will be at Mr.
4 Martin's discretion although I'm hopeful if the court
5 has the time to get Dr. Veiana in, I think we'll be
6 quick and it's somewhat hard to schedule although I
7 appreciate --

8 THE COURT: I'm thinking we can get him in
9 now and then everybody else will have to be some other
10 time and the some other time will be Monday.

11 Just again looking at the schedule, I can do
12 like 9:30 to 11:30 on Monday or the entire afternoon.
13 So I don't know whether the two-hour block that's
14 available on Monday morning is going to do it, or would
15 it be better just to leave it, start in the afternoon
16 and have -- of course you need to find out from my
17 colleague how kind he or she is going to be to you.

18 MR. MARTIN: I mean I just need to make a --
19 I hope I can identify who that is and just make a phone
20 call.

21 THE COURT: All right, let's get the doctor
22 on now.

23 MR. CHRONAKIS: Thank you.

24 THE COURT: We've got a witness in the
25 courtroom. We'll have him testify.

1 MR. CHRONAKIS: Thank you, Your Honor.

2 Trinitas Hospital will call Dr. Paul Veiana.

3 SERGEANT-AT-ARMS: Watch your step please.

4 Place your left hand on the Bible and raise your right.

5 P A U L V E I A N A, M.D., DEFENDANT'S WITNESS,

6 SWORN:

7 SERGEANT-AT-ARMS: Please state your full
8 name.

9 THE WITNESS: Paul Veiana, V-E-I-A-N-A.

10 SERGEANT-AT-ARMS: Thank you.

11 DIRECT EXAMINATION BY MR. CHRONAKIS:

12 Q Good morning, Doctor.

13 A Good morning.

14 Q Can you tell the Court about your educational
15 background, please.

16 A I graduated from Brooklyn College and Touro
17 College in New York. I went to VA (phonetic) School
18 and I went to Medical School in Mexico. I did a
19 residency in Texas and three years at Saint Elizabeth
20 Hospital, and I've practiced in the area since 1985.

21 Q Okay.

22 And what is your specialty?

23 A Internal Medicine.

24 Q Internal Medicine, thank you.

25 What's your current relationship with

1 Trinitas Hospital?

2 A I am an active medical staff member. I also
3 happen to be the President of the Medical staff.

4 Q Are you employed by Trinitas Hospital?

5 A No, I'm not.

6 Q Do you have any financial interests in the
7 outcome of this hearing?

8 A None whatsoever.

9 Q And are you familiar with Mr. Betancourt, the
10 subject of this hearing?

11 A I am somewhat familiar.

12 Q Okay, how did you become familiar with Mr.
13 Betancourt?

14 A Throughout my -- ~~one of my~~ duties is to go through
15 the Prognosis Committee. I was asked to render an
16 opinion about his medical condition somewhere's in I
17 guess August or so.

18 And then again I was asked to render another
19 opinion about his care. Since there were multiple
20 physicians who were involved on the care and we felt
21 that there was some inappropriate care that we were
22 doing to Mr. Betancourt, and so as part of our medical
23 staff I would try to support our physicians making what
24 we consider to be moral decisions in order to provide
25 the best care for our patients.

1 Q Okay, did you have the opportunity to
2 evaluation Mr. Betancourt?

3 A I did that yesterday.

4 Q Okay.

5 And have you reviewed Mr. Betancourt's
6 patient records?

7 A No, sir. I only reviewed maybe two or three weeks
8 of laboratory works.

9 I am aware when it first came up for
10 discussion what they were then and what they are now,
11 and my evaluation yesterday compared to what was
12 discussed in the previous meetings that I had.

13 Q Okay.

14 And based on your evaluation and those
15 documents you reviewed in those meetings, what was your
16 professional medical opinion of Mr. Betancourt's
17 diagnosis?

18 A Well there were multiple diagnoses. One that he
19 is in a persistent vegetative state. He also has --

20 MR. MARTIN: Judge, I --

21 THE WITNESS: I'm sorry.

22 MR. MARTIN: I'm sorry, Doctor, I don't mean
23 to interrupt you but Judge I would object because now
24 we're just repeating the diagnosis of other people.

25 This physician has examined the patient and

1 has an opinion of his own. Obviously I don't object to
2 it.

3 THE COURT: That's the question based upon
4 review of the record and his own evaluation, what is
5 his opinion as opposed to what do the records say.

6 MR. CHRONAKIS: Yes, Your Honor.

7 MR. MARTIN: But he said he didn't review the
8 records, just some lab --

9 THE COURT: Reviewed some records, some lab
10 reports over the last several weeks and had done a
11 previous review of some records.

12 MR. MARTIN: Yes, Your Honor.

13 THE WITNESS: If I may clarify what my job
14 is as an internist. I look at the patient as a total
15 person, not as a brain, not as a heart, not as a
16 kidney, but I look at him as Mr. Betancourt.

17 I evaluated him on Tuesday which, I'm sorry,
18 on Monday while he was wheeling down to dialysis which
19 took four people while he was being ventilated by hand.
20 He was stuck several times which he did not flinch.

21 For us to maintain what I consider to be a
22 normal homeostatic electrolyte balance and to keep his
23 body warm and really not treating Mr. Betancourt, we
24 are treating just a body, because Mr. Betancourt, at
25 least in my opinion, from examining yesterday, he has

1 contractures of both lower extremities. He's got
2 flexion of both lower extremities. He cannot move his
3 hands.

4 His eyes open but they're not purposeful
5 movement. He has sagging of the skin. He has three
6 large decubitus ulcers. He has a, his albumin level
7 which tells about nutrition is 1.7. He's getting 2500
8 calories a day. He's being dialyzed. Essentially, we
9 are very good in keeping a body at a homeostasis
10 without having the person be able to respond to the
11 environment. This is essentially what we have done.

12 As an internist, I deal with the family, and
13 unfortunately I did not deal with this family, but we
14 need to keep in mind it is very difficult, at least in
15 my opinion, because anything that a patient does a
16 family interprets as a purposeful movement. I do that
17 myself with my own father.

18 So it's very difficult to detach ourselves
19 from reality and really what it is that the patient
20 has.

21 So in my opinion as an internist looking at
22 Mr. Betancourt as a total person, there is no reason to
23 think that he'll come out from this state.

24 As of yesterday, he had three blood cultures
25 done because he spiked a temperature. His decubitus

1 ulcers are going into his bones. And I'm sure he has
2 osteomyelitis of those areas.

3 The skin is sagging. It has no muscle
4 tension whatsoever. So whatever reflexes or, that
5 we've talked about are totally inappropriate because it
6 depends on the state of the metabolic state that he's
7 in.

8 If you examine him the day before he gets
9 dialysis, you're going to get one kind of response
10 because B1/creatinine (phonetic) is elevated so it's
11 (inaudible).

12 If you do it right after dialysis, then
13 you'll get a different response. That's because we
14 have done such a good job in maintaining his
15 homeostatic state, but we're not keeping Mr. Betancourt
16 alive. We're keeping a body that we are everyday
17 violating by doing blood, blood cultures, sticking
18 needles and I don't know what the outcome is.

19 As a Christian, I believe that there is some
20 value that we should hold very dearly. We don't like
21 to desecrate a body if we don't have to, if there is no
22 chances that there is going to be any hope of recovery.

23 BY MR. CHRONAKIS:

24 Q Thank you, Doctor.

25 Doctor, in your professional medical opinion,

1 would continuing dialysis and the ventilator, the
2 feeding tube, would this as you call it, desecrate Mr.
3 Betancourt's body while keeping him alive?

4 A Maybe the best way that I can do this because we
5 can go on forever because the definition what a family
6 sees and what we as a professional see is different.

7 But as a doctor I can tell you that this is
8 not going to change. We're only actually desecrating
9 the body by sticking needles and drawing blood, then we
10 need to give blood, because we're taking so much and we
11 can't address the problem.

12 If it was my father, I would have stopped
13 this seven months ago because I don't think this is
14 appropriate at least from my belief and this is what I
15 tell my patients when we come to this.

16 It's a very difficult decision, and what I
17 usually say it takes no luck to say it's time to stop
18 and then to continue. And it's a decision, it's a
19 personal decision.

20 No matter what we do, no matter how good we
21 are in keeping the electrolytes in balance, and the
22 ulcers we cannot make it go away because his
23 nutritional state is not going to improve. No matter
24 how many calories we give him, it's not going to
25 improve. He's not absorbing it. So we can give him

1 10,000 calories a day, it is not going to help.

2 Q And Doctor while you're on that point, why is
3 it that the patient is not absorbing nutrients even
4 though he's being, he's provided them?

5 A His body is in a catabolic state. Your GI tract
6 is made of miles and miles absorbant (phonetic) area.
7 On this particular patient that area has decreased
8 significantly so no matter what we give him through the
9 tube, it goes right through and that causes the ulcers
10 to get worse, the infections to get more aggravated,
11 and there is no other way, at least as or right now
12 that we can improve that nutritional state.

13 And just by looking at his albumin, even
14 though we're giving him all this, we haven't been able
15 to budge it in the past, at least through the ones that
16 I looked at which is six to eight weeks.

17 Q Doctor, in your experience at Trinitas and
18 otherwise, are you trained or required by your
19 profession to maintain a certain standard of care for
20 your patients?

21 A Yes, we are.

22 Q And does the continued mechanical support of
23 Mr. Betancourt meet or is consistent with that
24 professional standard of care?

25 A No it's not because there's no -- at least from my

1 opinion, there is no chance that he's going to recover.
2 We are just in a sense doing something that we should
3 not be doing.

4 MR. CHRONAKIS: Your Honor, no further
5 questions at this time.

6 Thank you, Doctor.

7 CROSS-EXAMINATION BY MR. MARTIN:

8 Q Doctor, that's your view based upon one
9 examination which was yesterday, correct?

10 A Yes, sir.

11 Q And a limited review of the records which
12 were some recent lab results, correct?

13 A Yes, sir.

14 Q That's the extent of the involvement you've
15 had with this patient?

16 A In some things you don't need to be involved for a
17 year to know that -- that the --

18 Q That is the extent, Doctor?

19 A But that is the extent, sir.

20 Q Believe me, I'm not trying to argue with you.
21 I just want to understand your testimony.

22 A Uh hum.

23 Q And I'd like you to understand that my
24 clients -- and that's why we're here.

25 A Uh hum.